

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE UNITED STATES DISTRICT COURT
EASTERN DISTRICT OF ARKANSAS
CENTRAL DIVISION

DYLAN BRANDT, et al.,
Plaintiffs,

v.

LESLIE RUTLEDGE, et al.,
Defendants

No. 4:21CV00450 JM
December 1, 2022
Little Rock, Arkansas
9:02 a.m.

TRANSCRIPT OF BENCH TRIAL - VOLUME 8
BEFORE THE HONORABLE JAMES M. MOODY, JR.,
UNITED STATES DISTRICT JUDGE

APPEARANCES:

On Behalf of the Plaintiffs:

MR. CHASE STRANGIO, Attorney at Law
MS. LESLIE COOPER, Attorney at Law
MR. JAMES D. ESSEKS, Attorney at Law
American Civil Liberties Union
125 Broad Street, Suite 1800
New York, New York 10004-2400

MS. BREEAN WALAS, Attorney at Law
Walas Law Firm, PLLC
Post Office Box 4591
Bozeman, Montana 59772

MR. AVIV S. HALPERN, Attorney at Law
MS. LAURA KABLER OSWELL, Attorney at Law
Sullivan & Cromwell, LLP
1870 Embarcadero Road
Palo Alto, California 94303

MR. GARY L. SULLIVAN, Attorney at Law
ACLU of Arkansas
Legal Division
904 West 2nd Street, Suite One
Little Rock, AR 72201

1 APPEARANCES CONTINUED:

2 MR. ARUN BODAPATI, Attorney at Law
3 MS. LAUREN M. GOLDSMITH, Attorney at Law
4 Sullivan & Cromwell, LLP
125 Broad Street, Suite 2424
New York, NY 10004-2498

5 MR. DANIEL J. RICHARDSON, Attorney at Law
6 Sullivan & Cromwell LLP
1700 New York Avenue
Washington, DC 20006

7
8 MS. SHARON ELIZABETH ECHOLS, Attorney at Law
9 Gill Ragon Owen P.A.
425 West Capitol Avenue, Suite 3800
Little Rock, AR 72201-2413

10 On Behalf of the Defendants:

11 MR. DYLAN JACOBS, Attorney at Law
12 MR. MICHAEL CANTRELL, Attorney at Law
13 MS. AMANDA LAND, Attorney at Law
14 MS. HANNAH TEMPLIN, Attorney at Law
Arkansas Attorney General's Office
323 Center Street, Suite 200
Little Rock, Arkansas 72201

15
16 *Proceedings reported by machine stenography.*
Transcript prepared utilizing computer-aided transcription.

17
18
19
20
21
22
23
24
25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX - VOLUME 8 (12/1/2022)

WITNESS FOR DEFENDANTS:	Direct	Cross	Redirect
PAUL HRUZ	1215	1317	1328

HRUZ - DIRECT

1 (Proceedings commencing in open court at 9:02 a.m.)

2 THE COURT: Good morning. Thank you for your
3 patience. We're back on the record. You can call your
4 next witness.

5 MR. JACOBS: Defendants call Dr. Paul Hruz.

6 THE COURT: First side of the silver rail, sir.
7 Doctor, would you raise your right hand? Do you
8 swear to tell the truth?

9 THE WITNESS: I do.

10 THE COURT: Have a seat please. Make yourself
11 comfortable. Do you need any water or anything?

12 THE WITNESS: Water would be nice. Thank you.

13 PAUL HRUZ, DEFENSE WITNESS, DULY SWORN

14 DIRECT EXAMINATION

15 BY MR. JACOBS:

16 Q. Good morning, Dr. Hruz. Could you state your name
17 and spell it for the court reporter?

18 A. Paul Hruz, H-r-u-z.

19 Q. Dr. Hruz, what is your profession?

20 A. I'm a pediatric endocrinologist.

21 Q. Could you give an overview of your educational
22 background for the Court?

23 A. I have a degree, both MD and PhD degree. My PhD
24 training was in the field of biochemistry. I received my
25 degree in the 1990s. I began my fellowship training or

HRUZ - DIRECT

1 pediatric residency training at the University of
2 Washington Seattle, and then went to the Washington
3 University in St. Louis for my pediatric endocrinology
4 fellowship training, and I have been on faculty since that
5 time, since 2000.

6 Q. So just to confirm, how long in total have you been a
7 pediatric endocrinologist?

8 A. From the start of my fellowship 25 years.

9 Q. And, in general, what are some of the conditions that
10 you treat as a pediatric endocrinologist?

11 A. So pediatric endocrinology is a field of medicine
12 that studies disorders of hormone action, synthesis or
13 secretion. It runs throughout the body in many different
14 organ systems. About half of the practice of
15 endocrinology relates to the treatment of diabetes in its
16 many forms.

17 THE COURT: Excuse me, Doctor. Treatment of
18 what?

19 THE WITNESS: Diabetes.

20 THE COURT: Okay. Thank you.

21 THE WITNESS: In its many forms. We also treat
22 disorders of growth, puberty, sexual function,
23 thyroid/pituitary disorders, bone and mineral metabolism,
24 anything that relates to something in the body where
25 hormone action is disrupted leading to human disease.

1 BY MR. JACOBS:

2 Q. As a pediatric endocrinologist, what are the typical
3 -- what's the typical age range for the patients that you
4 see?

5 A. I see patients all the way from birth until the early
6 20s. We follow them often up through their college years.

7 Q. You mentioned a position on faculty. Could you give
8 an overview of the professional positions that you
9 currently hold?

10 A. I am currently an associate professor of pediatrics.
11 I have a secondary faculty appointment as associate
12 professor of physiology -- cellular biology and
13 physiology. I have responsibilities in patient care,
14 research, education, and administration.

15 Q. And are you involved with the fellowship program?

16 A. For many years, I served as the director of our
17 fellowship program. Currently, I'm serving as the
18 associate director.

19 Q. What does that entail?

20 A. It involves the education of our pediatric
21 endocrinology fellows as they prepare to be practicing
22 endocrinologists. One of my main responsibilities is to
23 guide other fellows in the conduct of research.

24 All fellows in our profession are required during
25 their training to be trained in doing scientific

HRUZ - DIRECT

1 investigation. I oversee them in choosing their mentors,
2 choosing their research projects, and ensuring that they
3 get a quality education on the rigors of science and how
4 it is done within our profession.

5 Q. As a professor, what sort of courses have you taught
6 in your career?

7 A. We give lectures on a variety of topics related to
8 our field throughout my career, including journal clubs
9 where we critically evaluate research papers, where we
10 present the basic physiology for various disorders. And I
11 have been called upon throughout my career to teach in
12 virtually all of those areas of pediatric endocrinology
13 practice.

14 Q. Are you involved in research in the field of
15 medicine?

16 A. Yes, I have. In my appointment as a physician
17 scientist, I am appointed to the investigator track. That
18 is how my career is gauged as far as my contribution to
19 the mission of our university. For 20 years, I had an
20 active basic science research laboratory that -- where I
21 had a number of research studies. For the first ten years
22 of that time, I was investigating adverse drug effects
23 related to the treatment of HIV infection, being able to
24 understand how the administration of medications can lead
25 to adverse effects that are not intended and to search for

HRUZ - DIRECT

1 ways that we could avoid those adverse effects.

2 I've also been involved in translational research
3 projects, and more recently that has been a major focus of
4 my research efforts in the area of drug development, being
5 able to develop drugs that are both efficacious in
6 treating diseases but also are safe for administration to
7 human beings, again, taking it from the investigational
8 stages in experimental in vitro, test tube type of
9 experiments, to animal experiments and now in the process
10 of moving it into treatment of human beings.

11 I've also been involved in the evaluation of clinical
12 trials with my colleagues more often as a consultant or a
13 collaborator on those project where my colleagues would
14 have the primary effort in those areas.

15 Q. You mentioned the term "translation research." Could
16 you just explain what you mean by that term?

17 A. Translational science runs a spectrum from the very
18 first stages where you take any early discovery in the
19 laboratory and begin to apply that to human beings, all
20 the way up to population-based studies to look at
21 delivering of health care in their many different stages.

22 Our institution at Washington University has an
23 institute of clinical and translational sciences, one of
24 the few centers in the country that has this special
25 program. And the goal is to be able to take that

1 information that we learn in the laboratory and to be able
2 to make it useful in clinical practice, again, to improve
3 the quality of the care that we deliver.

4 Q. Has any of the research you've done been published?

5 A. Throughout my career, I have been publishing in the
6 -- in a number of journals. I've had over 60
7 publications. Most of them have been published in peer
8 reviewed, top tiered journals within my field. And I've
9 also published several review articles in other analyses
10 of research done by others.

11 Q. Have you ever served as a reviewer for any journals?

12 A. I continue to serve as reviewer. I've done that
13 throughout my career and have been involved in assessing
14 the quality of evidence that is being put forward for
15 publication. It is a service that myself and most of my
16 colleagues that are involved on the investigator track are
17 called to do on a regular basis. I have done that
18 throughout my career, so I can say that I've reviewed
19 probably over a hundred papers in my career.

20 Q. Are you familiar with the condition of gender
21 dysphoria?

22 A. Yes.

23 Q. Could you just explain what your understanding of
24 that condition is?

25 A. Gender dysphoria is a condition where an individual

HRUZ - DIRECT

1 will have an experience of their gender identity that is
2 discordant with their biological sex and this dysphoric
3 which leads to discomfort from that discordance.

4 Q. Is gender dysphoria related or topics been a subject
5 of your research?

6 A. I have been involved in investigating the treatment
7 of gender dysphoria for the past decade, yes.

8 MR. JACOBS: I'll pause here in case there is
9 voir dire of the witness before proceeding on.

10 THE COURT: Anybody want to --

11 MR. STRANGIO: No voir dire.

12 MR. JACOBS: Thank you. Thank you.

13 BY MR. JACOBS:

14 Q. And you mentioned a moment ago that you started
15 investigating gender dysphoria and gender dysphoria
16 treatments a decade ago.

17 Could you just briefly explain how that came about to
18 happen?

19 A. I became involved in the investigation of the
20 treatment of gender dysphoria a decade ago. It was when I
21 was serving as chief of our division of pediatric
22 endocrinology at Washington University. One of my
23 colleagues approached me, asking to be able to start a
24 gender center. At that point in time, this was just
25 beginning to come to the attention of the medical

1 community.

2 In my job as chief of the division, it was necessary
3 for me to understand in -- what the condition was, what
4 the treatment approach that was being proposed was to
5 offer, and the merits of that program. And that was how I
6 began investigating the question as to whether the
7 engagement of my profession, pediatric endocrinology, in
8 the alleviation of the suffering that is experienced by
9 people who have gender dysphoria, is an appropriate course
10 of action.

11 Because I found in that process that there were many
12 questions that remained unanswered, it led me to dig
13 deeper and deeper into the literature, into the hypotheses
14 that were being put forward, the scientific premises that
15 were being used to direct the care that was being offered,
16 side effects, outcomes, and benefits.

17 Q. I want to switch gears just a little bit and talk
18 about some specific endocrine treatments. Are you
19 familiar with what a GnRH agonist is?

20 A. I'm very familiar with GnRH agonist. They're also
21 referred to as puberty blockers.

22 Q. Do you ever prescribe puberty blockers in your
23 clinical practice?

24 A. Yes, I do.

25 Q. What conditions would you prescribe them for?

1 A. Again, the field of endocrinology is directed toward
2 the care of endocrine diseases where there's disruption in
3 the normal function of the endocrine system. In pediatric
4 endocrinology, the area that involves the use of GnRH
5 agonist is that of central precocious puberty.

6 Q. What is central precocious puberty?

7 A. Central precocious puberty is a condition where an
8 individual will experience the onset and progression of
9 the biological changes that -- from childhood to adulthood
10 in reproductive capacity at an inappropriately early age.

11 Q. How do you diagnosis a child who comes to you with
12 precocious puberty?

13 A. A child who is referred for concerns of precocious
14 puberty is first recognized by objective physical changes
15 that occur within the body related to puberty occurring at
16 an age that is abnormal for what we know about normal
17 development.

18 For a female, this is any pubertal change occurring
19 younger than eight years of age and mostly manifested in
20 the first stages as breast development, changes in linear
21 growth.

22 And for males, it would be testicular enlargement
23 before nine years of age also accompanied by increased
24 growth and other signs of androgen production.

25 Q. So you mentioned observing physical characteristics.

1 Are there other sorts of objective factors you can look
2 at, for example, in lab work to try and diagnosis a child
3 with precocious puberty?

4 A. So the presentation becomes apparent by physical
5 changes that occur. And in the field of endocrinology --
6 and this is not unique to the condition of central
7 precocious puberty. It applies to nearly all of the
8 conditions that we treat that we rely upon objective
9 biological measures to assess the functioning of the
10 endocrine system.

11 For the condition of precocious puberty, there are
12 some patients that have elevated sex steroid hormone
13 levels that are being produced in areas of the body that
14 are not being regulated by the normal centers in the brain
15 that control pubertal development. That would be
16 gonadotropin independent precocious puberty.

17 The condition that we're talking about in relation to
18 the use of GnRH agonist relates to abnormalities in the
19 signaling within the pituitary gland within the brain. We
20 can objectively measure this by testing for the levels of
21 those gonadotropin hormone, LH and FSH. We can also
22 objectively measure the resulting sex steroid hormone
23 production that is simulated by those gonadotropin
24 hormones.

25 THE COURT: Doctor, you're using on words that

HRUZ - DIRECT

1 my court reporter is having trouble catching up with. Can
2 you slow it down just a notch so -- we're fine on your
3 pace until we get into technical terms, and then she's
4 having trouble catching up. So if you'll be mindful of
5 when you're using words like you just used a moment ago.
6 If you can pronounce those more slowly, she can pick them
7 up.

8 THE WITNESS: My apologies.

9 THE COURT: No apology. I just -- you know what
10 you're saying, but we're trying to catch up. Thank you.

11 THE WITNESS: Very good.

12 So the question was what -- how do we diagnosis
13 central precocious puberty. We measure hormones from the
14 pituitary gland, LH and FSH. LH refers to a luteinizing
15 hormone and FSH refers to follicle stimulating hormone.

16 These are two hormones that are made in the pituitary
17 gland that signal to the gonads. In males, it lead to
18 production of testosterone. In females, it lead to the
19 production of estrogen and all of the functioning of those
20 gonads.

21 We also have objective measures of the effects of
22 those sex steroid hormones, either testosterone or
23 estrogen, their effects on bone maturation. We have
24 objective measures, if we have growth data available, to
25 look at the acceleration of growth velocity, and we can

HRUZ - DIRECT

1 look at the peripheral effects of those sex hormones.

2 The testosterone as an androgen will lead to
3 virilization, which can be manifested in a number of ways.
4 And estrogen -- the primary observable effect of estrogen
5 is breast development, but there are other objective
6 biological changes, including changes to the primary sex
7 organs, the vaginal mucosa, that we can document that that
8 child was undergoing these physiologic changes at an
9 abnormally early age.

10 BY MR. JACOBS:

11 Q. So when you would prescribe GnRH agonist to treat a
12 child that you diagnosed with central precocious puberty,
13 what is the goal of that treatment?

14 A. The goal of treatment -- really to understand what
15 we're trying to achieve we need to understand what the
16 risks are of going through puberty at an abnormally early
17 age. As I said, the sex steroid hormones are going to
18 lead to growth acceleration. They're going to get taller
19 faster. Most parents and children are not bothered by
20 that, but they don't recognize that in the process of
21 growing too quickly, their bones are maturing too rapidly
22 and they're going to complete their linear growth at --
23 prematurely. And even though they have initially tall
24 stature, they're going to end up with short stature. Many
25 times, depending on the age of onset, significantly short

1 stature that may be to a degree that it can impact their
2 functioning in adult life.

3 Another challenge of abnormal early puberty is that
4 the children will have those biological changes at a state
5 where their developmental process is not sufficient for
6 them to be able to manage those biological changes.

7 The process of puberty by definition is related to
8 developing reproductive competency. And to have a fertile
9 kindergartener presents many problems when a child is not
10 able to handle the changes that are going on in their body
11 because they've not had the developmental progression that
12 normally occurs during the adolescent years.

13 This can present in very practical ways. For
14 example, the challenges of menstruating when you're in
15 kindergarten can be very problematic. The sexual advances
16 that may be made by older classmates when a child is not
17 able to be able to make that decision about engaging in
18 sexual activity because of the immaturity of their
19 development.

20 Q. So I think you just walked us through whatever the --
21 about the consequences of having precocious puberty.

22 So what are the goals of treating central precocious
23 puberty with GnRH agonist?

24 A. The goal is to be able to allow that child to have
25 that pubertal development enter the normal quiescence that

HRUZ - DIRECT

1 is present at that age, meaning that, at that time of
2 life, normally there are active signals from the brain
3 that suppress the secretion of those gonadotropin
4 hormones. We try to restore them to that natural state
5 that they would have normally if they did not have that
6 disease condition.

7 We try to preserve their final adult height. So by
8 suppressing the signals from the pituitary gland and
9 suppressing the production and action of those sex steroid
10 hormones, our goal is to be able to slow the rate of bone
11 age advancement so that they can achieve maximal height.

12 We also try, for example, in females to prevent them
13 from progressing to the point of having menarche, which is
14 the onset of menstruation, until the normal age. I said
15 the lower limit of normal pubertal development is
16 typically eight years of age in females, nine years of age
17 in males. The normal lower limit of menstruation for
18 females is ten years.

19 So we try to get them to an age where that, if they
20 were to progress in puberty to the point of having menses,
21 that they would be -- it would be occurring at an age with
22 their peers that did not have central precocious puberty.

23 Q. So when you're -- when you're treating a patient for
24 central precocious puberty with GnRH agonist, is there a
25 point or an age at which you start to think it's time to

1 take them off of that? And can you explain how you make
2 that decision?

3 A. Yes. With the goals that I have outlined as far as
4 preservation of adult height and the suppression of
5 puberty to allow that development to occur at a normal
6 age, we try to get them to an age where their peers would
7 be the experiencing this. So, for example, we try to make
8 sure that the females that have this condition have their
9 development suppressed until the age where -- of ten years
10 for having menses because there are children that do not
11 have central precocious puberty that will begin at that
12 point in time.

13 There are dangers in carrying out this intervention
14 too long because the delay could have adverse effects by
15 suppressing the normal changes. So what we try to do is
16 -- again, this is true for all areas of endocrinology --
17 weigh relative risks versus relative benefits. And our
18 goal is to have the maximal benefit we can have on height
19 without having adverse effects about abnormally
20 suppressing puberty.

21 We do not induce a condition where puberty is
22 delayed. We merely want to allow them to get to the age
23 of the lower limits of normal for pubertal development.

24 Q. So you mentioned the risks of delaying puberty.
25 Could you explain what the risks -- what those risks are?

1 A. There are several risks with delaying puberty too
2 long. The biggest concern that we have is the effects on
3 bone marrow density. We know that the process of puberty
4 and the sex steroid hormones that are produced during that
5 puberty are critically important for the accrual of
6 maximum bone density. This is a crucial stage of life
7 because individuals, after achieving their peak bone
8 density through the rest of their life, will have gradual
9 loss of that density. So if they begin -- or they reach a
10 peak bone density that is low, they are at much greater
11 risk of having severe bone disease, osteoporosis, later in
12 life.

13 So we also recognize that there are elements of the
14 biological changes related to puberty that coincide with
15 the psychosocial changes that occur during adolescence.
16 Again, it's important to recognize that puberty is
17 described in terms of the biological changes leading to
18 reproductive capacity occurring at a time of a child's
19 life where there are very, very important psychosocial
20 changes that are going on in their development from
21 childhood to adulthood in other areas of interacting with
22 their peers, with their parents, in society at large.

23 Q. You mentioned osteoporosis later in life being a risk
24 of this. When you say "later in life," what sort of time
25 frame should we think about?

1 A. So what we worry most about, it's a progressive loss
2 over time. So the lower your bone density is at the time
3 that you reach your early 20s and the rate at which you
4 lose bone density over time can vary. It is well
5 established with very rigorous research studies that that
6 correlates with -- in late adulthood. So we're talking
7 decades later. And there is no way that we know of that,
8 if you fail to achieve that bone -- maximum bone density,
9 that you can make up for that later in life. The only
10 intervention that you may be able to use is to delay the
11 rate of decline of that bone density.

12 So the age at which it presents depends upon the
13 severity of the -- of the failure to achieve maximal bone
14 density, but it's a time point in terms of years and
15 actually decades before we would see the full
16 manifestations and, to be clear, osteoporosis to the
17 degree that it will cause pathologic fractures and we know
18 that the risks that that has later in life.

19 Q. So we've been talking about some risks. I want to
20 specifically ask about the risks posed by GnRH agonist
21 just from the drug itself.

22 Can you describe what those are?

23 A. So the -- again, understanding how these drugs work
24 within the body, the name actually helps one to understand
25 how they're working. So there are signals at higher rate

HRUZ - DIRECT

1 centers that signal. So gonadotropin-releasing hormone,
2 or GnRH, signals to the pituitary gland to lead to the
3 secretion of LH and FSH. Those signals are normally
4 present in a pulsatile fashion, meaning that there are
5 short bursts of GnRH that lead to stimulation of the
6 receptors in the pituitary gland that lead to the
7 production of those hormones.

8 Paradoxically, when you administer that same stimulus
9 continually rather than in a pulsatile manner, rather than
10 stimulating the gland, it will suppress it. The effects of
11 suppression are not immediate. The initial administration
12 of the medication is going to actually stimulate the gland
13 until the suppression phase occurs.

14 We can evidence this in many ways. When we
15 clinically treat with this class of medications, if the
16 patient is not taking the medicine consistently, we will
17 actually see the opposite effect.

18 Depending on how far an individual has progressed
19 through puberty, the effect of this class of medications
20 is going to lead to a fall in the sex steroid hormone
21 levels. It is known that that fall in sex steroid hormone
22 levels can have significant psychological effects. In
23 fact, it is present in the label of the product that it
24 can lead to mood changes, including depression. One can
25 think of this in a similar way that -- in a condition that

1 people are more familiar with of postpartum depression,
2 but it's the same mechanism that is occurring, is that the
3 rapid changes in the sex steroid hormone levels can lead
4 to those adverse effects.

5 The medication itself very recently has -- and this
6 is actually important to recognize that all medications
7 that we give have relative risks and relative benefits.
8 Sometimes we don't fully understand all of the risks until
9 much later.

10 There is a new recognition, for example, that giving
11 puberty blockers can lead to an increase of pressure in
12 the brain, a condition known as pseudotomb -- pseudotumor
13 cerebri. It's not a tumor, but it leads to increase of a
14 pressure in the head that's usually clinical recognized by
15 changes in the back of the eye, the nerves in the back of
16 the eye becoming blurred, but it actually can adversely
17 affect one's vision as well in addition to the causing a
18 severe headaches.

19 These are known effects from the medications
20 themselves. And then there are the effects of the -- that
21 are secondary to the effects of the medication in their
22 changes to the sex steroid hormone levels.

23 Q. When you prescribe a GnRH agonist to a patient, just
24 practically speaking, how are those sorts of drugs
25 administered?

1 A. So these medications, again, the goal is to be able
2 to have sustained delivering of the normal signals that
3 would stimulate the pituitary gland to lead to
4 suppression. They're usually given as injections.

5 The first products that were used were -- required
6 monthly injections of the medications. There are new
7 formulations that allow one to fill that every three or
8 six months. There's forms of the medication that can be
9 delivered by an implant that is placed under the skin that
10 will deliver the medication for over a year. There is a
11 very short acting version of this that actually can be
12 inhaled through the nostrils that requires a daily
13 administration.

14 These medications, when given in the manner that they
15 are prescribed leading to sustained delivery of that
16 stimulation, then will lead to the suppression of the
17 pituitary function.

18 Q. When you're prescribing and administering GnRH
19 agonist to treat central precocious puberty, does anything
20 change due to strictly whether your patient is male or
21 female?

22 A. So at the level of the pituitary gland and the higher
23 signaling from GnRH to the release of LH and FSH, those
24 signaling processes are the same in males and females. So
25 the effect of giving the puberty blocker is identical when

1 it is given to a male as when it is given to a female in
2 the -- both the intent and the effect of suppressing the
3 secretion of those gonadotropin hormones.

4 Q. I guess to wrap that up, say you had a male patient
5 and a female, patient both with central precocious
6 puberty. Assume that they weigh approximately the same
7 and you're administering a GnRH agonist. Would you use a
8 different dose between them or no?

9 A. The exact same medicines are given to males and
10 females in the various ways that they can be offered and
11 the dosing is given in the same manner as based on weight.

12 Q. We've been discussing the use of GnRH agonist to
13 treat central precocious puberty. I'd like to switch
14 gears slightly.

15 What is your understanding of how GnRH agonists are
16 administered for the treatment of gender dysphoria?

17 A. So the administration of the medication is the same
18 meaning that the forms of the medication and the way it's
19 delivered by subcutaneous injection or implant is the
20 same, but there are many, many differences between the use
21 of this medication to suppress normally timed puberty
22 compared to the suppression of abnormally early puberty.

23 Q. And earlier we were discussing risks associated with
24 delayed puberty. Could you explain whether the extent to
25 which any of those risks are also applicable in the

1 context of using GnRH agonist to treat gender dysphoria?
2 A. So the question of what are the risks of suppressing
3 normally timed puberty, there is data that I can refer to
4 that we know very well how that can effect an individual,
5 and really in an adverse way. But there are many
6 questions that aren't answered because this has not
7 actually been studied rigorously for the effects of
8 suppression of normally timed puberty.

9 In relation to the duration of treatment that I
10 earlier discussed, it is very relevant to the question of
11 interruption of normally timed puberty. This is a very
12 different time in an individual's life. There are reasons
13 -- or there are effects of the pubertal process, including
14 bone density, that are critical in that phase of life.

15 When you interrupt that at that stage of life when
16 the body is already signaling to go through puberty, to
17 suppress that can have very significant effects on final
18 stature, on bone density. Those are well established.

19 The -- there are many other effects that are not well
20 investigated but could potentially have effects because of
21 the absence of the sex steroid hormones during a time when
22 they would normally be present.

23 Q. In the plaintiffs' experts have claimed that the
24 effects of GnRH agonist, when used in the course of
25 treating gender dysphoria, are reversible.

1 What is your opinion on that?

2 A. The question of reversibility of using the puberty
3 blockers, on one level, the suppression of the signals
4 from the pituitary gland when the drug is removed are
5 expected to resume. So this is the same basis for which
6 when we treat central precocious puberty, when we withdraw
7 the administration of the GnRH agonist, that within months
8 to at most a year -- usually it's within several months --
9 that signaling within the pituitary gland will resume.

10 That part is reversible to the best of our knowledge.
11 Although I will add that we have not rigorously studied
12 this in the suppression of normally timed puberty.

13 But there are many other aspects of that by its very
14 nature is not reversible, and -- to name just a few of the
15 many.

16 As I mentioned earlier, there is a developmental
17 process of adolescence that occurs at the same time as the
18 physical changes that occur during puberty which is a
19 biological process. If one blocks normally timed puberty,
20 you are altering the normal association of the biological
21 changes that occur together with the developmental stages
22 of adolescence. That is a temporally dependent process
23 and it is impossible to turn back time.

24 So once you've blocked puberty, even if you allow the
25 signaling from the pituitary gland to resume, you cannot

1 buy back the time when that physical process has been
2 disrupted at a time that it would normally occur in that
3 stage of an individual's life.

4 There are other changes. The changes with bone
5 density can be partially reversed with puberty being
6 allowed to proceed. But the best evidence that we have to
7 date is that -- that that deficit is not fully made up
8 and, again, it pertains to how long these medication are
9 administered. And so there is a concern and data to back
10 this up in published literature that, even though bone
11 density will increase with the administration or allowing
12 puberty to progress either naturally or artificially, that
13 those deficits not reversible as well.

14 Because this is a relatively new use of this class of
15 medications that has never been rigorously studied to the
16 degree that we don't have the information yet for its
17 effects in the interruption of normal puberty in relation
18 to what we know about the blocking of puberty when it
19 occurs abnormally early.

20 Q. So the plaintiffs' experts have claimed that when
21 gender dysphoria patients who have been prescribed GnRH
22 agonists move on to taking cross-sex hormones, that this
23 effectively initiates an opposite sex puberty.

24 Can you explain your opinion as to whether that is
25 true?

1 A. The claim that is being made in that argument is
2 looking at the desired phenotypic changes related to the
3 effects of the sex steroid hormones, meaning that one is
4 looking for virilization by the administration of
5 testosterone to a female or a feminization by
6 administering of estrogen to a male.

7 What is not recognized by many who make that claim is
8 that the administration of the sex steroid hormones differ
9 by the sex of the individual, the effects of that. It is
10 not identical to give testosterone to a male as it is to
11 give it to a female, nor is it the same thing to give
12 estrogen to a male versus female. And there is a
13 scientific basis that we know very well as to why that is.

14 The differences between males and females occurs in
15 every nucleated cell of the body. There is genetic
16 programming that differs between males and females. This
17 is a process known as epigenetics, meaning that there are
18 modifications of the DNA itself that alter the expression
19 of genes when exposed to the same stimulus. So if you
20 give testosterone to a male, the physical -- or the
21 physiologic effects of giving that testosterone, even in
22 the measurement at which genes are turned on and turned
23 off, will differ as to whether it is being given to a male
24 or a female.

25 This has been well documented in scientific studies.

1 And, for example, there's over 6,000 sex differentially
2 expressed genes between males and females. If you look at
3 what proteins are being made, what signals are going on in
4 the body in response to hormones, they differ drastically.

5 So it is quite erroneous to infer from the phenotypic
6 changes that occur, the appearance of that individual,
7 that is -- that what is going on in the body is the same.

8 The other component of that question as far as
9 inducing puberty in the opposite sex is that the whole
10 purpose of puberty biologically speaking, not limited to
11 human beings, but across the animal kingdom, is in
12 relation to reproductive capacity. And an individual -- a
13 male given estrogen is not going to be able to function in
14 that reproductive process as a female, nor is a male going
15 to be able to participate -- a female going to be able to
16 participate in the male function by merely getting
17 testosterone.

18 We're looking at changes in the appearance of the
19 individual, but we are not inducing puberty as it is
20 understood by scientists and have always -- has always
21 been understood by scientists in relation to what puberty
22 means.

23 Q. You mentioned gender incongruence earlier in the
24 context of explaining your understanding of gender
25 dysphoria is. Are you familiar with the term "desistance"

1 being used in the context of gender incongruence?

2 A. The term "desistance" refers to individuals that have
3 had this experience of their gender identity that is
4 discordant with their sex later and desiring to have those
5 changes occur to allow their body to appear according to
6 their gender identity rather than their sex, later
7 essentially desiring to reverse those changes. And so
8 that's what the term is understood to mean.

9 Q. Are you aware of scientific literature that bears on
10 the question of whether administration of GnRH agonist
11 effects whether a minor's gender incongruence persists or
12 desists over time?

13 A. So one of the arguments for using puberty blockers in
14 individuals that experience gender dysphoria -- and,
15 again, there are several claims that are often made. One
16 is that it provides a pause button to arrest pubertal
17 development to allow a child to have more time to explore
18 their gender identity.

19 The experience in the published literature of
20 individuals that are treated with puberty blockers
21 demonstrates that the vast majority, nearly the 98 percent
22 of all individuals get puberty blockers, will go on to get
23 cross-sex hormones. There are concerns among the
24 scientific community that this is not just a pause button
25 but actually is directing an individual to pursue those

1 later stages of the affirmation approach and that it's not
2 a neutral intervention.

3 The data that is cited to challenge that -- again,
4 the -- there is much that could be said about the
5 literature, but that experience of 98 percent going on to
6 cross-sex hormones differs, meaning that it's almost --
7 almost universal that they will go on to desire these
8 later stages interventions is very different than the
9 experience of -- again, that has been published in the
10 literature prior to the adoption of this approach where
11 the vast majority in fact did not that have persistence of
12 their sex discordant gender identity.

13 Q. Shifting gears just a little. Have you ever
14 prescribed a GnRH agonist to treat a mental health or
15 psychological condition?

16 A. Well, I will refer you back to the role of a
17 pediatric endocrinologist is to treat diseases of the
18 endocrine system. So we're looking at treating physical
19 disease. I am not aware of, outside of the question of
20 intervening in the area of gender dysphoria, where this
21 approach is used to treat any other condition other than
22 the biological diseases that we talked about.

23 Q. A similar question. Have you ever prescribed GnRH
24 agonist to a minor due to that patient feeling distressed
25 about the coming onset of puberty?

HRUZ - DIRECT

1 A. I have never administered a GnRH agonist for that
2 purpose. I do see children that present to my clinic
3 frequently with concerns about pubertal development, and
4 I've never recommended a GnRH agonist to alleviate that
5 anxiety whether it comes from the parent or the child.

6 Q. Based on your knowledge of the field, are you an
7 outlier in that approach among pediatric endocrinologists?

8 A. Again, aside from this current discussion on the
9 affirmation approach for the treatment of gender
10 dysphoria, it has -- this is unique in its application in
11 any other condition that we encounter in pediatric
12 endocrinology.

13 And as far as my colleagues that -- I think that
14 there are many that share my concern about how it's being
15 used in the treatment of gender dysphoria.

16 Q. Shifting gears to a new or the next medication. Are
17 you familiar with spironolactone?

18 A. Yes.

19 Q. Can you explain what that is?

20 A. Yeah. Spironolactone is a drug. Its primary
21 function had been for the treatment of blood pressure and
22 heart failure. It's a mineral -- mineralocorticoid
23 antagonist. It is known as potassium sparing diuretic.
24 But in relation to the field of endocrinology, it also has
25 the effects in blocking the action of androgens. So it's

HRUZ - DIRECT

1 an androgen receptor blocker.

2 Q. When you say "androgen," could you explain what an
3 androgen is?

4 A. So androgens are hormones that lead to virilization.
5 Testosterone is a prime androgen, but there are many
6 others in the body that have androgen effects, so leading
7 to hair growth, acne, body odor, those changes that occur.

8 Q. Are those often colloquially referred to as male
9 hormones?

10 A. Correct. Androgens are male type hormones.

11 Q. Do you in your clinical practice ever prescribe
12 spironolactone?

13 A. It is not a prime medication that we use, but the
14 major area in endocrinology is in the treatment of
15 polycystic ovarian syndrome where females will undergo
16 virilization due to excess androgen production and
17 generally coming from the ovary.

18 Q. So in the course of diagnosing a patient with
19 polycystic ovarian syndrome, are there objective markers,
20 say, on blood work that you would verify?

21 A. So the diagnosis of polycystic ovarian syndrome is a
22 clinical diagnosis based upon the physical evidence of
23 virilization or androgen effects, insulin resistance, and
24 irregular periods. But there are objective biological
25 measures to assess those androgen levels, most notably

1 free testosterone levels are elevated, meaning that the
2 normal protein that binds testosterone is lowered, called
3 sex hormone binding globulin. So there are objective
4 measures of elevated free testosterone.

5 There are objective measures of dysregulation of
6 those signals from the pituitary gland, the LH and the
7 FSH, to compliment the clinical diagnosis by looking at
8 the degree of virilization that is present in that
9 patient.

10 Q. We've been discussing -- I'll abbreviate PCOS in
11 female patients. Do you ever prescribe spironolactone to
12 your male patients?

13 A. I do not prescribe spironolactone. I'm trying to
14 think if there is any exception. For an endocrinologic
15 purpose, the answer would be no.

16 I will add that the intent of giving spironolactone
17 is addressing the virilization, but the condition itself
18 recognizes that those elevated androgen levels have
19 effects within the body that go beyond the undesired
20 appearance of virilization.

21 The whole condition of polycystic ovarian syndrome
22 is recognized to have adverse effects on fertility, to
23 have adverse effects on metabolic health and risks of
24 having cardiovascular disease. There is a whole field of
25 medicine that has investigated the serious physical

HRUZ - DIRECT

1 consequences of the elevated androgen levels in affected
2 females. And it's an example of the difference between
3 males and females.

4 The levels that we're talking about of androgen
5 exposure in females is of an order of magnitude different
6 than we would see in other conditions, for example, where
7 we would have, for example, an androgen secreting tumor
8 which could affect both a male and a female leading to
9 vastly different levels of those androgens in the body.

10 So the amounts of the androgens normally differ
11 markedly between males and females and the metabolic
12 consequences of those elevations in androgens differ
13 between males and females as well.

14 Q. Just make sure I got the clear answer to the previous
15 question. Is there ever a time as a pediatric
16 endocrinologist where you would prescribe spironolactone
17 to a male patient, a minor male patient?

18 A. The reason I paused before answering is that there
19 are indications, for example -- there are adrenal hormones
20 that can be made and there are diseases that can actually
21 influence the amount of potassium and sodium in the body.
22 This is a class of medication that is often used to
23 elevate potassium levels. It actually can cause -- if
24 it's not regulated, can actually cause dangerously high
25 levels of potassium, and someone needs to monitor for it.

1 So there may be indications where spironolactone is
2 given for the purposes of electrolyte balance, but not
3 specifically related to androgen production.

4 Q. You can correct me if I'm wrong with this, but I
5 think I understand you to mean that you would not
6 prescribe spironolactone to a male patient with a primary
7 goal of blocking androgens. Is that what you were
8 meaning?

9 A. So independent of its current application to this
10 question of gender dysphoria, the answer is no.

11 Q. Thank you.

12 Just on that, you mentioned treating males with
13 spironolactone maybe for electrolyte balancing reasons.
14 In the course of diagnosing and treating that problem,
15 would you be relying on objective markers, say, blood work
16 to guide you?

17 A. Most certainly we would have the objective measure of
18 the sodium and potassium levels. We also have a means to
19 measure the androgen levels that are being produced. And
20 there are many tests that we have to do this in a very
21 precise and comprehensive manner.

22 The steroid hormone levels are often measured either
23 in the basal stage or in a stimulated state where we
24 actually can look for abnormalities in the production of
25 these hormones in the adrenal conditions that I spoke of.

1 Q. Similar question that I asked about puberty blockers.
2 Have you ever prescribed spironolactone to treat a mental
3 health condition or a psychological condition of a minor?

4 A. I have not. Again, aside from the use in the
5 affirmation approach to gender dysphoria, it is -- it is
6 not used to treat a psychiatric condition.

7 Q. But is it also true outside of your personal practice
8 in the field of pediatric endocrinology generally?

9 A. I would say that the virilization that occurs in the
10 female patients is undesired, but the primary purpose is
11 to restore that individual to a state of health, meaning
12 it is not to treat the mental health issues; it's to be
13 able to allow the body to be restored to its normal state.

14 Q. Thank you. I want to shift gears past that and
15 moving on to sex hormones.

16 So do you ever prescribe testosterone to minors in
17 your clinical practice?

18 A. Yes.

19 Q. And for what conditions would you prescribe
20 testosterone?

21 A. There are a few conditions. One is gonadal failure,
22 which can either be primary or secondary, meaning that
23 there may be damage or a nonfunctioning testes in a male
24 or there may be abnormalities in the pituitary signaling
25 telling the testes to work. There are also individuals --

HRUZ - DIRECT

1 males that are born with a small phallic size, and we will
2 administer testosterone to lead to phallic growth.

3 Q. For those conditions you were discussing, what are
4 some of the objective criteria that you would use in
5 diagnosing and treating those conditions?

6 A. So the condition of either primary or secondary
7 hypogonadism can be objectively assessed by measuring the
8 levels of testosterone or derivatives of testosterone or
9 the sex steroid hormones that are used in the production
10 of testosterone and objectively measuring LH and FSH
11 levels. So we can objectively measure the functioning of
12 the pituitary gland and the gonad through biochemical
13 tests.

14 Q. When you're prescribing testosterone, do you monitor
15 the testosterone levels of the patient when they are
16 undergoing treatment?

17 A. The answer is yes.

18 Q. Why would you do that?

19 A. It's very important -- so in the initiation for those
20 that fail to go through puberty, we generally do this in a
21 gradual method to slowly increase testosterone levels to
22 coincide with the normal rise of testosterone that occurs
23 with normal puberty. We also want to avoid excess of
24 testosterone in that individual because we know very well
25 that too high of testosterone levels can have very serious

1 adverse effects. This can also be measured -- one of the
2 effects of the testosterone is to elevate red blood cell
3 counts and it can lead to a very serious elevations
4 outside the normal range if you over dose of that
5 medication.

6 We also see effects on blood pressure, on lipids, on
7 rage, for example, and in males priapism, which is having
8 a painful erection that doesn't go away.

9 Q. You mentioned using testosterone to treat a delayed
10 puberty. Is that a condition -- would you only use it to
11 treat delayed puberty in males or would you also use that
12 in females?

13 A. It is only used in the treatment of delayed puberty
14 in males. There are two ways that it is given. One is -
15 probably the most common reason to give it is for somebody
16 that has delayed puberty of a constitutional nature,
17 meaning that they have the capacity to go through puberty
18 normally, but it has not been triggered at the normal age.

19 In that case, we'll give very low doses of
20 testosterone to, essentially -- we like to refer to it as
21 priming the pump. We give them low doses for a period of
22 three or four months and then look for then the initiation
23 of normal puberty.

24 There is a subset of patients that, when you attempt
25 to use it for stimulating puberty for constitutional

HRUZ - DIRECT

1 delay, they end up having the inability to go through
2 puberty normally most often because they don't have the
3 signals in their brain, the LH and FSH. It's a condition
4 known as hypogonadotropic hypogonadism. And they will
5 require ongoing therapy with testosterone. That is
6 reserved for males.

7 THE COURT: Mr. Jacobs, what are you looking
8 like on time?

9 MR. JACOBS: We got a long ways to go.

10 THE COURT: Then we're going to take a break.
11 Court will be in recess for about 15 minutes.

12 (A recess was taken at 10:08 a.m. until 10:21 a.m.)

13 THE COURT: Thank you for the break.

14 BY MR. JACOBS:

15 Q. All right. So Dr. Hruz, we were discussing
16 treatments of delayed puberty. And just to clarify
17 something, you've mentioned in relation to testosterone a
18 normal range. I just wanted to ask, are there accepted
19 ranges of, for example, testosterone by endocrinologists
20 that you want to see in patients? Can you explain that?

21 A. Yes. So there are very well established normal
22 ranges in both males and females for testosterone levels
23 and they can be stratified out as to the time of life and
24 Tanner stage of development. And so the answer is yes.

25 Q. When I say a supraphysiological level of

HRUZ - DIRECT

1 testosterone, would you understand that to mean above the
2 normal range for where that particular patient is at in
3 the Tanner stages?

4 A. Yes.

5 Q. And in your practice of prescribing testosterone, is
6 there any reason that you would ever intentionally have a
7 patient reach supraphysiological levels of testosterone?

8 A. In the normal course of practice, the answer is no
9 because of the recognition of the adverse effects that can
10 occur with that. Again, the practice of endocrinology is
11 directed toward restoring the body to its state of natural
12 health and to avoid the adverse effects of disruption of
13 the normal endocrine system.

14 Q. You may have briefly touched on this earlier. You
15 mentioned different testosterone ranges for different
16 patients. Are there different normal ranges of
17 testosterone for males and females?

18 A. Yes. That is correct. Testosterone levels -- so it
19 is important to recognize that both males and females make
20 both estrogen and testosterone, but at very different
21 levels. So the ranges are very different for the sex
22 hormones between males and females.

23 Q. You don't have to give an exact number but assuming
24 there are post-pubertal teenagers, could you just compare
25 the levels of testosterone you might expect to see in a

1 male versus the levels of testosterone you might expect to
2 see in a female assuming everyone is healthy and normal?

3 A. So it's almost an order of magnitude different. Now,
4 it's important to recognize that the sex steroid hormone
5 levels will vary for females over the course of a month,
6 for males the time of the day. So there are differences
7 between morning and evening testosterone levels, but the
8 ranges that one sees in a female are a fraction of the
9 percent that are seen in males.

10 Q. And do those -- again, assuming, say, teenagers post
11 puberty, everything is healthy, would the normal ranges
12 for females and males overlap? And by that, is the high
13 end of the range of normal female testosterone lower than
14 the low end of the range for a male?

15 A. There is a clear distinction between the normal
16 levels of testosterone in an adult male compared to an
17 adult female. It is not equivalent. In fact, when you
18 get testosterone levels into the range that we would
19 typically see in a male, one would be looking at
20 conditions like the PCOS that we spoke of earlier.

21 Q. So when talking about treating delayed puberty in
22 hypogonadism using testosterone, are there objective
23 criteria that you would measure to determine whether the
24 treatment is going successfully?

25 A. There are clear both biochemical and clinical

HRUZ - DIRECT

1 indicators of successful treatment of hypogonadism in
2 males, and the same could be said for -- for gonadal
3 failure for -- in females. The same could be said for
4 successful treatment with a puberty blocker. Objective
5 measures looking at the sex steroid hormone levels that
6 are achieved and the physical objective changes that occur
7 in the body in response to that sex steroid hormone being
8 delivered.

9 Q. When you're in a situation of delayed puberty that
10 you described as priming the pump, did you mean to say
11 that -- excuse me.

12 When you're treating delayed puberty, one form of
13 that was a temporary administration of testosterone. Did
14 I understand that correctly?

15 A. Correct. So the condition of constitutional delay is
16 where one has all of the machinery to be able to go
17 through puberty, but the onset that was puberty is
18 delayed. The way that we normally treat that is to give
19 about 50 milligrams of IM testosterone monthly for three
20 to four months, and then we'll see them back at the
21 six-month time point, and then we will assess the levels
22 of the pituitary hormones, LH and FSH, and the
23 testosterone level that that child has.

24 We can also look objectively at the testicular size
25 with the onset of puberty. The first sign of the onset

1 puberty is testicular enlargement. Somebody that has had
2 constitutional delay and is treated with this low dose of
3 testosterone for three to four months, when seen in
4 follow-up, will have both increase in testicular size,
5 measurable gonadotropin levels in the pubertal range, and
6 rising testosterone levels indicating the success in
7 initiating that puberty and that there is not a need for
8 ongoing testosterone therapy.

9 Q. And in a situation like you just mentioned where
10 constitutional delay appears to be resolved, why would you
11 not continue to administer testosterone following that?

12 A. Again, the practice of endocrinology is directed
13 toward restoring health. Once puberty has been initiated
14 and is progressive, there is no need to administer ongoing
15 testosterone therapy. The normal signals present within
16 the body by design with the pituitary gland signaling to
17 the testicles would continue with maturation of the gonad
18 leading to reproductive capacity.

19 Q. So what would the risks or side effects be if you did
20 continue to administer testosterone to a male in that
21 situation with resolved delayed puberty?

22 A. The question as to the adverse effects of continuing
23 testosterone for somebody that has normal function of the
24 pituitary gland and their gonad, it is necessary to have
25 the signaling that occurs from the pituitary gland in that

HRUZ - DIRECT

1 pulsatile manner that I previously discussed. That is
2 going to be necessary for -- for sperm production and for
3 normal functioning of that gonad. If one bypasses the
4 signaling from the brain and gives testosterone for an
5 extended period of time, you are going to suppress that
6 normal function of the testes.

7 We often see this in older children and adults that
8 are using testosterone to increase lean body mass and is
9 demonstrated the adverse effect of doing that in that
10 their testicular size is decreased, their sperm counts are
11 significantly decrease, it induces a state of infertility,
12 and that is not in agreement with the practice of
13 endocrinology where our goal is to restore health.

14 Q. Suppose you had a patient come in, it's an adolescent
15 male, who's presenting with severe body image issues. He
16 was distressed about not having much muscle mass.

17 Would you ever prescribe testosterone for the purpose
18 of increasing that patient's muscle mass?

19 A. So if I understand the question of a child that has
20 gone through normal puberty, has normal levels of
21 testosterone, but is dissatisfied with their body
22 appearance. Most, if not all, practitioners would
23 consider it malpractice to be inducing increased lean body
24 mass for the desires of that individual. It is because
25 of, one, the lack of necessity, but also the adverse

1 effects of giving that extra testosterone to induce that
2 phenotypic change.

3 I will add that it's the reason why testosterone is a
4 controlled substance.

5 Q. I want to ask a couple of questions about
6 administering testosterone to female patients. Is there
7 ever an indication in your clinical practice to prescribe
8 testosterone to a female child or adolescent?

9 A. Testosterone -- I'm not aware of any indication where
10 we would give testosterone to a female.

11 Q. And what are some of the risks that prescribing
12 testosterone to female children or adolescents would pose?

13 A. Well, the effects of the testosterone or any androgen
14 would lead to virilization. There is -- again, depending
15 on the levels achieved, there could be very serious
16 adverse effects with that. There is not really an
17 indication for -- outside of this question of the gender
18 affirmation approach, for inducing virilization in a
19 female for any purpose other than, again, the gender
20 affirmation.

21 Q. I want to shift a little bit and talk about estrogen.
22 Do you prescribe estrogen to minors in your clinical
23 practice?

24 A. Yes.

25 Q. And for what conditions would you prescribe estrogen?

1 A. Estrogen can be given to females for the same types
2 of indications in males of either constitutional delay or
3 hypogonadism and -- which could be either primary or
4 secondary, meaning that, due to a defect in the presence
5 or function of the ovaries or a defect in the structure
6 and function of the pituitary gland, there are individuals
7 that have conditions in which they experience premature
8 ovarian insufficiency where the ovaries become inactive
9 over time, both genetically and through environmental
10 incidents.

11 Q. Are there objective criteria that you would look at
12 when you're diagnosing and treating patients with those
13 types of condition?

14 A. The same as when we're talking about the treatment of
15 males, we can measure estrogen levels, we can look for the
16 effects of the estrogen as well. So measuring --
17 depending on the condition that we're treating, measuring
18 the gonadotropin levels, the LH or FSH, the estradiol
19 levels, and then we also look at the physical response to
20 the intervention.

21 Q. You use the term "estradiol." Could you just explain
22 what you mean by that?

23 A. Just like there are many different forms of androgens
24 in addition to testosterone, there are many different
25 forms of estrogens that can be measured in the body.

HRUZ - DIRECT

1 Estradiol is the main hormone that we follow and measure
2 in females that we treat with estrogen.

3 Q. When we're discussing estrogen here today, is that
4 mostly interchangeable with the term estradiol?

5 A. The estrogens can be given in a number of different
6 forms, but the physical marker that we usually use in
7 clinical practice is estradiol. We do have situations
8 where one is exposed to either endogenous or environmental
9 estrogens -- that's one of the causes of precocious
10 puberty -- where we will measure other forms of estrogens,
11 a form known as phytoestrogens, to our comprehensively
12 look at the various forms of the compounds that have the
13 effects of the estrogen. But for the purposes treating
14 hypogonadism, we will generally follow estradiol levels.

15 Q. What are some of the medication risks that are
16 associated with estradiol?

17 A. So, again, all medications that we give have risks
18 and benefits. The effects of estrogen -- the most
19 well-recognized are increased risk of stroke,
20 thromboembolic stroke, clotting. It can have effects on
21 blood pressure. It has effects on bone as well, as far as
22 estrogen is actually the hormone that leads to closure of
23 the growth plates that arrests further growth throughout
24 the rest of life.

25 So there are also effects of estrogen on various

1 tissues of the body, some that are known, for example, in
2 stimulating cancer risk. There are also protective roles
3 of estrogen in other tissues.

4 Q. Have you ever prescribed estrogen to treat a mental
5 health or psychological condition in your practice?

6 A. I have not. The only indication for estrogen that
7 has debated -- it's a very ethically charged question
8 about effecting final stature in an individual. There are
9 some that advocate for a developmentally disabled children
10 that are never able to ambulate, they have to be carried
11 from one location to another, that -- that allowing them
12 to be taller is a disadvantage. And there are some of my
13 colleagues that would advocate giving estrogen to that --
14 actually, that's not answering your question because you
15 asked about a mental condition.

16 Mental condition. It is given for people that are
17 worried about being too tall. And, again, it's an
18 ethically controversial topic and many of my colleagues,
19 because of the risks of giving it, it is not used. It was
20 used about several decades ago and we don't use that
21 treatment any further.

22 Q. Suppose you had a female minor patient seeing you.
23 This patient has body image issues and is very distressed
24 about having smaller breasts than she would like to, maybe
25 this patient read on the Internet somewhere, if she had

1 higher estrogen levels, she might grow largest breasts.

2 Would you ever prescribe estrogen for the purpose of
3 increasing breast size in a patient like this?

4 A. Well, breast size varies markedly in any one
5 individual, and the data that's available of giving extra
6 estrogen can actually adversely affect the shape and
7 contour of the breast, so it would have adverse effects.
8 But the desire -- the request by a patient to have that
9 estrogen administered for that purpose is not something
10 that would be done in endocrine practice.

11 Q. So what about male patients, is there ever an
12 indication in your clinical practice for prescribing
13 estrogen to male children or adolescence?

14 A. The only discussion is in the area that I just
15 mentioned as far as stature. But most males don't present
16 with concerns about tall stature, so it would be reserved
17 for those developmentally disabled children.

18 Q. What are some of the risks of prescribing or
19 administering estrogen to male children or adolescents?

20 A. So the same effects that we see in females would be
21 present, including an increased risk of stroke. Again,
22 emphasizing that the administration of estrogen to a male
23 is not the same thing as giving it to a female. In fact,
24 there are concerns that the risk is even higher in
25 individuals that receive that estrogen.

1 Q. Now I want to talk about in the course of treating
2 gender dysphoria. You have child, adolescent who takes
3 puberty blockers to suppress puberty, following that is
4 given cross-sex hormones. Are there any additional
5 concerns or increased risks for patients in this situation
6 that are separate and apart from using those drugs in
7 isolation?

8 A. It is important to consider the combined effects of a
9 puberty blocker followed by cross-sex hormones by virtue
10 of the fact that nearly all of the individuals that have
11 been studied that have received the puberty blockers go on
12 to get cross-sex hormones. In addition to the effects
13 that we've already mentioned by the hormones themselves,
14 the most significant adverse effect of that approach is to
15 render an individual to be sterile.

16 The reason for that is that you are exposing -- so by
17 giving the puberty blocker, you're preventing the gonad
18 from normally maturing. And then exposing it to a hormone
19 that it does not normally see causing structural changes
20 to that gonad that, by the best evidence that we have
21 available, will prevent that individual from having
22 fertility later in life.

23 Q. So aside from fertility, has there been any
24 discussion of the effect of puberty blockers followed by
25 cross-sex hormones on sexual function?

1 A. There are certainly -- so the -- there are very
2 serious concerns about the effects of the cross-sex
3 hormones on normal sexual function and preventing -- for
4 example, when you're giving a male estrogen, which is
5 suppressing endogenous testosterone levels, the ability to
6 have a normal physiologic responses to lead to, for
7 example, orgasm is going to be impaired.

8 The converse of giving testosterone to females -- in
9 adults, it actually is used in low doses to stimulate
10 sexual desire, but it's very different in the way that
11 it's being used in the affirmation approach. The levels
12 are much higher than what we would recommend in the adult
13 field of endocrinology.

14 Q. So are there other endocrine treatments for children
15 and adolescents that you're aware of that take a minor who
16 has otherwise normal fertility prospects and results in
17 either reduced or impaired fertility or even
18 sterilization?

19 A. Well, one could argue that the administration of --
20 for the purposes of contraception is intended to impair
21 fertility, but there's a unique situation that that is
22 occurring in the treatment of affirmation for gender
23 dysphoria. The difference is that the intended goal is to
24 disrupt that normal function, which is contrary to the
25 entire remainder of the field of endocrinology that is

1 directed toward restoration of health rather than
2 disruption of sexual function and the normal processes
3 within the body.

4 It is important to recognize that, prior to the
5 initiation of the cross-sex hormones with or without
6 puberty blockers, that the sexual function of that
7 individual is completely intact. So there is no
8 abnormality in the biological function of that individual
9 prior to the initiation. In all other conditions that we
10 approach we are addressing diseases or defects in the
11 normal functioning of the body in terms of its endocrine
12 system.

13 Q. So you mentioned contraceptives as one example of
14 impaired fertility. Specifically as to sterilization, are
15 you aware of any endocrine treatments given to minors that
16 have as an effect permanent sterilization?

17 A. Endocrine, no. There are many treatments that we do
18 to treat other conditions unrelated to endocrinology that
19 have a consequence of inducing sterility in the area of
20 oncology, for example.

21 There are endocrine tumors where we're -- it's
22 required. Again, this is a defect in the normal
23 appearance of the body and function of the body in which
24 it's necessary to have an intervention that -- that as a
25 consequence leads to infertility, but it is directed

1 toward treating the tumor that is present that is life
2 threatening.

3 This is a unique situation in the affirmation
4 approach to apply it for this purpose.

5 Q. You mentioned something about oncology. Were you
6 referring to cancer treatments?

7 A. That's correct.

8 Q. Can -- aside from what you mentioned about a
9 life-threatening endocrine tumor or cancer treatments, do
10 any other sterilizing consequences of endocrine treatments
11 or other treatments you're aware of for minors come to
12 mind?

13 A. There is not a single other condition, other than
14 this affirmation approach that I'm aware of, that that
15 intervention is done in a way to have that effect because
16 of -- for somebody that has a normally functioning
17 endocrine system prior to the initiation.

18 Q. By that effect, you mean sterilization?

19 A. The effect of sterilization by administering
20 hormones, correct, or surgery.

21 Q. Shift gears just a little bit. Are you familiar with
22 the clinical practice guidelines that are published by the
23 Endocrine Society?

24 A. Yes. I'm very familiar with the Endocrine Society
25 guidelines.

1 Q. Is that -- is that something that you make use of in
2 your own practice?

3 A. In general, practice guidelines can be very valuable,
4 in the practice of medicine in general, to allow a
5 practitioner to pull from the growing volume of scientific
6 studies that are done in any particular condition.

7 Clinical practice guidelines, however, are only that.
8 They are guidelines and they are highly dependent upon the
9 quality of the evidence that is used in the generation of
10 those guidelines. It's well understood that there are
11 some very good guidelines that are in place and there are
12 some that are very poor. There's also very much
13 recognized that, in the practice of medicine, there have
14 been many guidelines that were based upon poor quality
15 evidence that later, when higher quality evidence was
16 generated, resulted in a complete opposite recommendation
17 being made.

18 Q. So we mentioned the Endocrine Society guidelines.
19 Are there other published guidelines that you use in your
20 practice other than the Endocrine Society?

21 A. There are many. The American Diabetes Association
22 has guidelines. Each of the professional organizations in
23 the treatment of polycystic ovarian syndrome, there are
24 various guidelines.

25 It's very interesting that, when one looks at some of

1 the guidelines even within a particular condition, that
2 many times the recommendations will differ depending on
3 which specialty generated the guidelines. So there are
4 many caveats to the generation of clinical practice
5 guidelines.

6 In my practice as pediatric endocrinologist, as a
7 physician scientist, but as a physician, all physicians
8 aim to use the highest quality of evidence in making
9 clinical decisions about how to care for their patients.
10 And we do recognize that there is a utility in guidelines
11 in properly understood and utilized.

12 Q. So are you familiar with the grade system of
13 evaluating evidence?

14 A. Yes, I am.

15 Q. Is that a system that the Endocrine Society
16 guidelines makes use of in its --

17 A. The Endocrine Society makes a heavy use of the grade
18 system in the generation of other guidelines, yes.

19 Q. Are you familiar with what it means when a grade
20 system labels a recommendation as being based on low or
21 very low quality evidence?

22 A. So the grade system was generated specifically to
23 provide an objective assessment of the quality of evidence
24 that is used in the generation of clinical practice
25 guidelines. And they rate in the grade system high

1 moderate, low, or very low quality of evidence to make an
2 assessment about the reliability. In that designation, by
3 definition, the different categories differ in the
4 likelihood that the recommendations are going to change as
5 new information becomes available.

6 The high quality recommendations in the grade system
7 mean that it's unlikely that the recommendations are going
8 to change as new information becomes available. In
9 contrast, very low evidence means that it's very likely
10 that the recommendations are going to change as new
11 information becomes available.

12 So it's very important to recognize that the grading
13 system -- grade that is used in the Endocrine Society
14 guidelines is assessing the quality of the evidence that
15 is used in making those recommendations, the confidence
16 that one has that one is making prudent guidelines for the
17 practitioner, and the likelihood that, as new information
18 becomes available, that the guidelines will change.

19 Q. Are you familiar with the Endocrine Society's
20 recommendations relating to the treatment of gender
21 dysphoria?

22 A. Yes, I am. There was -- the initial guidelines by
23 the Endocrine Society came out in 2009 and they were
24 revised in 2017.

25 Q. And are you familiar with the level of evidence that

HRUZ - DIRECT

1 the grade system assigns as supporting those
2 recommendations related to gender dysphoria treatment?
3 A. The question of the level of evidence by the grade
4 system in the Endocrine Society guidelines is a major area
5 of concern as I looked at the data. And it's important to
6 recognize that nearly all of the recommendations that are
7 made in those Endocrine Society guidelines are based upon
8 low or very low quality evidence. There's only one
9 recommendation that even rises to the level of moderate
10 evidence, and that is for adverse effects of the
11 intervention.

12 So while it's not a unique situation to have low
13 quality evidence, it's necessary to recognize that low
14 quality of evidence in being able to take what is being
15 put together in those guidelines as to the most prudent
16 course of action for the effected patients that one is
17 going to encounter in practice.

18 Q. You said it's not unique. In pediatric
19 endocrinology, are there other recommendations made by the
20 Endocrine Society guidelines that are based on low or very
21 low quality evidence outside of gender dysphoria that
22 you're aware of?

23 A. Well, so in every guideline -- so it's important,
24 when you go through the guidelines, there are many -- in
25 most guidelines, it's not a single recommendation; it is a

1 series of analysis of the various questions related to the
2 clinical condition. And in most guidelines, you're going
3 to encounter some of the recommendations that are based
4 upon low quality evidence and usually the phrase that --
5 and the terminology that we suggest, not that we
6 recommend, recognizing that it's an unsettled area.

7 One encountering a patient in practice isn't all
8 that's left of the option of walking away. One needs to
9 act in a prudent way based upon what evidence is
10 available. However, the strength of which one puts
11 forward the recommendations is rather unique in this area
12 of gender dysphoria.

13 Other conditions, for example, that I'm aware of that
14 are very relevant in the treatment of pediatric endocrine
15 diseases -- I'll give an example. The congenital adrenal
16 hyperplasia -- congenital adrenal hyperplasia. That's
17 abbreviate CAH. Those guidelines have been generated and
18 they have actually been revised like the gender dysphoria
19 guidelines.

20 In reviewing them, about half of the recommendations
21 have moderate quality evidence. A very different scenario
22 than what we see in the endocrine practice guidelines.
23 Another difference that we see in other guidelines is
24 that, when we're confronted with low quality evidence,
25 there is a recognition of being more tentative in how

1 definitively we present the recommended course of action.

2 There's a risk/benefit analysis that is made in the
3 treatment of all conditions. It is based upon the quality
4 of evidence that is present and the relative risks of
5 intervening or not intervening in a particular way. The
6 higher the risk, the lower the quality of evidence, the
7 more caution that is used in advocating for one particular
8 course of treatment. And I would say it's rather unique
9 as I've encountered in my career with the degree of poor
10 quality evidence and strength of recommendations that are
11 being made are vastly different than the other guidelines
12 that I'm aware of.

13 Q. Now, I want to shift to talking about this research
14 related to gender dysphoria. So we've been discussing all
15 these various endocrine disorders and we've been
16 discussing some objective criteria that go into diagnosing
17 those conditions.

18 Based on your knowledge of what a diagnosis of gender
19 dysphoria entails, are there similar objective criteria
20 that are used in making a diagnosis of gender dysphoria?

21 A. So I shared in many of your prior questions about how
22 there is objective biological evidence and objective
23 clinical evidence that one has in making a diagnosis. For
24 the condition of gender dysphoria, there is not a single
25 biological measure that one can use to assess the accuracy

1 of that designation independent of the patient's report of
2 gender dysphoria. It resides solely within the expressed
3 identity and desires of the individual themselves, and
4 there's not any test that we can do to be able to test the
5 accuracy of that -- that condition.

6 Q. I want to talk about the body of research concerning
7 use of GnRH agonist and cross-sex hormones to treat gender
8 dysphoria.

9 Are you familiar with that body of research?

10 A. I have been actively investigating that body of
11 literature for the past ten years, so I'm very familiar
12 with the studies that have been done. There is a growing
13 number of studies. The more frequently referenced studies
14 are the ones that I've given most attention to, but I
15 continue to review and critically assess the literature in
16 this field, yes.

17 Q. So we've had prior testimony in the case that's
18 talked about the differences between longitudinal study
19 and cross-sectional study and random control style. So I
20 don't want to ask you to completely retread that ground.
21 But could you briefly describe the differences in what
22 those types of studies are used to show and what they can
23 show?

24 A. Certainly that -- you know, looking at the evidence
25 and the different studies that are done, they have varying

1 levels of reliability. They have different -- I mean, all
2 studies have various strengths and limitations. If
3 properly understood and applied, they can provide useful
4 information. Many times, the lower quality studies are
5 merely a means to generate a novel hypothesis that will
6 then direct the conduct of a trial that will actually
7 allow one to get better information about a cause/effect
8 relationship.

9 The lowest level of evidence is always case reports
10 or experts' opinions. Those are based upon not really
11 science. It's just a general experience. And, again, in
12 that area, they can be useful for generating a novel
13 hypotheses.

14 Next up on the pyramid of quality of evidence would
15 be, for example, some of the cross-sectional studies that
16 are done where you take a look at a condition at one point
17 in time. That is allowing -- can allow one to infer
18 associations, but by it's very nature is not able to
19 assess a causal relationship between cause and effect.

20 The highest part of that evidence-based pyramid is
21 going to be the randomized controlled trial where it's
22 carefully controlled in all aspects of the study with the
23 exception of the independent variable that is being
24 investigated in that trial.

25 Q. So we're clear, can a longitudinal study or a

1 cross-sectional study establish cause and effect
2 relationship?

3 A. So any cross-sectional study by its nature is not
4 assessing the precise cause and effect. It merely can
5 tell you an association.

6 There are some types of longitudinal studies that can
7 infer improperly designed and controlled. If it's a
8 randomized longitudinal study, one can infer that the
9 difference between the treatment arms are related in a
10 causal nature. If it's not controlled, if it's not
11 randomized, there are always recognized that are -- can be
12 unrecognized variables that actually led to the effect
13 and, therefore, it is an association not a causal
14 relationship.

15 Q. Have there been any random controlled trials that
16 examine whether GnRH agonists provide benefits to treat
17 minors with gender dysphoria?

18 A. I'm not aware of any randomized control trial to
19 address the question of GnRH agonist therapy for gender
20 dysphoria.

21 Q. Same question as to the use of cross-sex hormones for
22 gender dysphoria, any randomized control trials?

23 A. Correct. To my knowledge, there has never been a
24 randomized control trial looking at the efficacy of
25 cross-sex hormones in gender dysphoria.

HRUZ - DIRECT

1 Q. Have there been cross-sectional or noncontrolled
2 longitudinal studies examining those two treatments?

3 A. Yes. I am aware of a handful of studies that have
4 been done of a longitudinal nature with -- again, the
5 studies that I've read have very serious limitations and
6 weaknesses, but they have in a noncontrolled manner
7 followed cohorts of patients. In fact, one of the largest
8 studies going on in the NIH right now is longitudinal
9 analysis where, essentially, the hypothesis is we have no
10 idea what's going to happen, but we're going to follow
11 these patients over time to find out what's going to
12 happen. That could be considered a longitudinal study.
13 It's not a rigorous way to do science -- it is not a
14 rigorous way to do science and it really in the end is
15 going to be unable to answer the question that is needed
16 to be answered in this area.

17 Q. I want to talk about a few of the specific studies
18 that have come up in this case and, specifically, that
19 were brought up by the plaintiffs' expert witnesses.

20 Are you familiar with the 2011 longitudinal study
21 that was conducted by de Vries and others that measured
22 mental health outcomes after receiving puberty blockers?

23 A. Yes. I'm very familiar with that study.

24 Q. What is your assessment of that study?

25 A. It's an important study to discuss because it's often

HRUZ - DIRECT

1 used as the gold standard for the rationale for this
2 affirmative approach. It's important to recognize the --
3 how that study was conducted and how the patient
4 population in that study was generated to properly
5 interpret the effects of using pubertal blockade in
6 minors.

7 It was very carefully selected, the patients that
8 were enrolled into that study. They, in fact, excluded
9 patients that had significant psychiatric comorbidities
10 which are very, very prevalent in the current population
11 that is presenting to the gender clinics here in the
12 United States. So, essentially -- and, you know, that
13 study had about 70 patients. They had 70 patients, not
14 about 70. They had 70 patients in them. And part of the
15 intervention that was done was to provide psychological
16 support. And because it was designed in that way, the
17 patients throughout the trial period had ongoing
18 psychological contact with psychologists and psychological
19 support. Any conclusions about the -- because it was a --
20 it didn't have a controlled population that received all
21 of the care except for the puberty blockers. That's how a
22 properly controlled trial is designed. That it's not
23 possible to infer from the outcome how much of the benefit
24 that was observed in that study was related to the
25 psychological intervention, how much of it was related to

1 the fact these patients were higher functioning to begin
2 with, and how that translates to the current population
3 that is being -- for which this affirmation approach is
4 being applied.

5 Q. So in your opinion, does this study provide reliable
6 quality evidence that GnRH agonists provide benefits to
7 gender dysphoria patients?

8 A. By the very nature by which that trial was conducted,
9 at best it can provide a rationale for doing further
10 studies that could show that there is either benefit or
11 not of this intervention. But it does not answer the
12 question about whether the administration of puberty
13 blockers is the solution to the problem and whether
14 alternative approaches that don't carry the same risks
15 relative to purported benefit, namely whether
16 psychological intervention alone may have the same or
17 superior benefit.

18 Q. So move on to the next study. Are you familiar with
19 the 2020 cross-sectional study by van der Miesen and
20 others that measured some patients who received puberty
21 blockers and some who did not?

22 A. Yes. I'm familiar with that study.

23 Q. What's your assessment of that study?

24 A. Again, it's important to recognize that that was a
25 cross-sectional analysis where they had three different

1 populations of study subjects. One was patients that were
2 presenting to the gender clinic that had not received any
3 intervention. There was a second set of subjects that had
4 undergone the intervention. And there was a third
5 population that they had that were controls randomly
6 selected from another population. So by its
7 cross-sectional nature, it cannot establish a causal
8 relationship between cause and effect.

9 There were notable differences between the different
10 study populations. One of the most notable was there was
11 a time or an age difference between those that were
12 starting and finishing. There was also the same
13 limitation as far as the degree to which the patients that
14 were claimed to have benefit, whether it was due to the
15 intervention itself or whether it was due to the
16 psychological approach. By the way the study was
17 designed, it was not able to answer that.

18 So, again, it is a great illustration of the
19 limitation of that type of cross-sectional analysis and
20 where the conclusions that have been made cannot be
21 reliably validated because of that study design.

22 Q. Same question that I asked you before. In your
23 opinion, does this study provide quality, reliable
24 evidence that puberty blockers provide benefits to gender
25 dysphoria patients?

HRUZ - DIRECT

1 A. It does not answer the question of whether patients
2 have benefit. At best it provides a rationale for engaging
3 in the higher quality controlled trials that need to be
4 done to actually assess whether there is true benefit from
5 the intervention itself and not from another factor in
6 that cohort.

7 Q. So move on to the next study. Are you familiar with
8 Dr. Turban's 2020 article in *Pediatrics* that compared
9 patients who had access to puberty blockers and those who
10 didn't? Are you familiar with that study?

11 A. I'm very familiar with that paper, yes.

12 Q. What is your assessment of that study?

13 A. I could probably spend an hour going through all of
14 the limitations weakness of that study. In fact, I did do
15 this to my students. I illustrated for them the problems
16 with that paper and conclusions that were made based upon
17 the evidence that was presented.

18 I can begin by mentioning that the whole study was
19 based upon the 2015 US Transgender Survey, which has a
20 number of limitations and weaknesses and a biased -- bias
21 -- recruitment bias, study subject bias, and other
22 irregularities.

23 The assessment that was made -- again, it was a
24 cross-sectional study. It was retrospective surveying
25 these individuals for events that happened in the past,

1 after telling them that the whole purpose of that study
2 was to be able to uncover injustices that were occurring
3 because of the inability to get that form of intervention.

4 But all of that aside, if you look at the actual data
5 that's present in the paper, the conclusion was that, if
6 you had wanted to get puberty blockers and got them or did
7 not get them, how does that affect your lifetime
8 suicidality. What it failed to even mention was the fact
9 that whether or not you were prescribed puberty blockers
10 might have had significant effect -- or be based upon
11 differences between those two cohorts, for example, in
12 other psychological comorbidities.

13 More than that, if you look at the actual data in the
14 paper itself, there was no statistical difference in prior
15 year suicidality. So that lifetime suicidality was the
16 measure that they reported, but the paper claims data that
17 shows that at the time that the study was conducted within
18 the past year, there was no statistical difference between
19 those that did and did not get puberty blockers. It's a
20 great example of failure to recognize study limitations,
21 weaknesses, biases, and making conclusions that are not
22 supported by the actual data in the paper.

23 Q. How was that study received in the field?

24 A. It received widespread acclaim. In fact, it was
25 rated by *The Journal of Pediatrics* the paper of the year

1 in the year that it was published. The media headlines
2 repeatedly claimed that puberty blockers prevented
3 suicide. The authors of the paper themselves did not say
4 that. They said there was an association with lifetime
5 suicidality without any mention of the fact that current
6 year suicidal ideation remained unchanged.

7 I actually wrote a letter to the editor which was not
8 published, but contacted the editor as to the basis as to
9 why it received that claim in the journal, and was told
10 that it was based upon popularity, not upon scientific
11 rigor. This is great example of the damage that can be
12 done when there is a lack of recognition of the low
13 quality of evidence that is present in making conclusions
14 that are not supported by that evidence.

15 Q. So in your opinion, does this study provide reliable,
16 quality evidence that puberty blockers provide benefits to
17 gender dysphoria patients?

18 A. This study does not provide that data. The answer is
19 no.

20 Q. So plaintiffs' experts, they claim that there is no
21 evidence showing a statistically significant -- scratch
22 that.

23 Plaintiffs' experts claim that the body of literature
24 doesn't have a statistically significant showing that
25 puberty blockers worsen mental health outcomes for gender

1 dysphoria patients.

2 Can you give your opinion as to that claim?

3 A. Well, it's a very interesting claim to support an
4 intervention that has very serious risks to the effected
5 patient to say that there is no statistically demonstrated
6 worsening. There are many problems with the literature.
7 This is what I found repeatedly when I reviewed this
8 literature critically.

9 Again, approaching this as a physician scientist, you
10 know, all of science is conducted with a certain level of
11 rigor. And the way we conduct science is to develop a
12 hypothesis as to whether there is a difference between
13 intervention or not. And we begin actually with something
14 called the null hypothesis. That is how science should be
15 conducted, when you begin from a state of assuming that
16 there is no difference and then you look for evidence to
17 disprove that null hypothesis.

18 Many times in the literature that has been published
19 in this field of gender dysphoria, they will make claims
20 that when one does not see a difference, it's due to lack
21 of statistical power. Yet the same investigators that
22 make those claims, when they don't support a difference,
23 will make a claim that in the same degree of uncertainty
24 that it pertains to the beneficial effect that we can have
25 confidence in that result.

1 The reason why that many of the studies don't show --
2 and there's another component of this that we should
3 discuss as well. The difference between statistical
4 significance and clinical significance. I'll actually
5 illustrate this in another one of the Turban papers. This
6 was one that was done in JAMA Open Network on cross-sex
7 hormones.

8 That there was a conclusion that was made about
9 suicidality after receiving cross-sex hormones in that --
10 so used the same 2015 survey with all of the weaknesses
11 that are present in subjects and bias and -- but -- and it
12 was retrospective and cross-sectional. But looking at the
13 data itself for the outcome of suicidality having covered
14 desired -- or have you had suicidal ideation, they
15 reported a difference, not recognizing that the clinical
16 significance of having suicidal ideation is vastly
17 different than having a suicidal plan or actually
18 attempting suicide or being hospitalized for a suicide
19 attempt. And to report as the conclusions of the
20 paper that suicidality is different but failed to
21 recognize that there was no statistically significant
22 difference following that intervention in those that
23 actually acted upon that desire is really problematic.

24 So we have to look at both the statistical
25 limitations of studies. And this is a very rampant in

1 this literature, that many of the trials that are done are
2 based upon small sample sizes, and particularly when they
3 try to do longitudinal analysis. There is a large number
4 of dropouts in the studies, people that are lost to
5 follow-up that, when a final analysis is, done you have so
6 few patients that you are not able to detect statistical
7 differences because of methodologic limitations.

8 But even if one grants that, that the purpose of
9 giving a puberty blocker or giving a cross-sex hormone to
10 a child is intended to prevent them from committing
11 suicide, if you fail to demonstrate that there is a
12 difference in the intervention, that there is no
13 difference, that is of significance and of high
14 significance in assessing whether one is going to proceed
15 with that intervention.

16 Q. On the survey data that you mentioned, the US
17 National Transgender Survey, you stated you had several
18 criticisms with that data. Are there others in the field
19 who have shared similar criticisms of that data?

20 A. In deed, there have. And, you know, some of them are
21 so obvious that, in talking to colleagues, raising to the
22 level of needing to publish a paper to be able to point
23 out all of the weaknesses in the study has become
24 necessary because in the discussion that that is not
25 actually adequately covered.

1 There are -- there is a very good review by DeAngelo
2 and colleagues that go through the limitations of the 2015
3 US Transgender Survey. Dr. Michael Biggs in the UK has
4 written about some of the study methodologic limitations
5 that are written on that. Normally, it's -- in other
6 areas of medicine, one would recognize the limitations to
7 the study. The study authors themselves would acknowledge
8 the study weaknesses and would be a necessity to publish a
9 separate paper pointing out all of the limitations of the
10 study.

11 But it's quite interesting and important to recognize
12 that everything that I have shared this morning is not --
13 is shared by other respected members of the relevant
14 scientific community that recognize these very, very
15 significant and serious limitations in the way the
16 research is being conducted with a failure to acknowledge
17 those limitations in making conclusions about how one is
18 going to take that data that has been obtained and how to
19 apply it to clinical practice.

20 THE COURT: Mr. Jacobs, you're going to have to
21 slow him down. I'm not sure I've been able to.

22 MR. JACOBS: Okay. I'm sorry.

23 BY MR. JACOBS:

24 Q. I'll work with you on that.

25 A. I'll take a deep breath before I start and try to do

1 that midway through.

2 Q. If you see my hand raised like this, that will be a
3 signal when you're looking at me to slow the pace. Okay.

4 So we've been -- so we've been discussing thus far
5 some of the research on puberty blockers specifically.
6 Now I want to move on to some of the research discussing
7 cross-sex hormones that have been discussed by the
8 plaintiffs' experts in this case.

9 Are you familiar with the 2019 longitudinal study by
10 Allen and others that looked at suicidality following
11 cross-sex hormones?

12 A. Yes. I'm aware of that study.

13 Q. What is your assessment of that study?

14 A. Well, it was a longitudinal study, but the serious
15 limitations in that analysis, one, it was a very small
16 patient population. I think there were about 47 patients
17 in that cohort. There was no controlled group. There was
18 no consideration of other things that happened in addition
19 to the administration of cross-sex hormones, and, namely,
20 provision of psychological support over the integral that
21 they were following over time. And because of that, to be
22 able to make a conclusion that this demonstrates that the
23 administration of cross-sex hormones was the reason for
24 any changes that were observed in psychological
25 functioning, is stepping beyond what the data can say.

1 Again, in the way that that study was conducted, it
2 could have been designed in a different manner that would
3 have allowed one to be able to get that causal
4 relationship between intervention and effect. But,
5 unfortunately, it was not done in that manner and,
6 therefore, it cannot be used as the evidence that one
7 would like to say that this is a beneficial approach in
8 the treatment of gender dysphoria.

9 Q. When you say, could have been designed in a better
10 way, how could it have been designed in a better way?

11 A. If one was -- and this is the way really all science
12 should be conducted, is that, if one is -- you want to
13 compare your experimental intervention in comparison to a
14 patient population that has everything identical except
15 for that intervention, or some trials are conducted with
16 two different interventions that are compared side by
17 side.

18 That would allow one to assess, because in that study
19 design followed longitudinally, one could infer and
20 actually accurately conclude that the only difference
21 between the outcome in psychological functioning was that
22 you received cross-sex hormones and thus, because that was
23 the only difference present, that that was the reason for
24 that difference. That was not done in the Allen study
25 and, therefore, you cannot make that conclusion.

HRUZ - DIRECT

1 Q. So in your opinion, does the Allen study provide
2 quality, reliable evidence that the administration of
3 cross-sex hormones provides benefits to gender dysphoria
4 patients?

5 A. The answer is no. At best, it will provide a
6 rationale for conducting the properly designed study to
7 answer that question.

8 Q. So are you familiar with study that came out in 2022,
9 earlier this year, by Green and others that measured
10 suicide attempts and access to cross-sex hormones?

11 A. Yes.

12 Q. What is your assessment of that study?

13 A. Again, that study has many of the same weaknesses
14 that I've already mentioned. Again, it's quite pervasive
15 in the literature in general.

16 As opposed to the Allen study, which is a very small
17 sample size, this was a large cohort of patients but it
18 suffered many of biases or potential biases in patient
19 recruitment. It was a convenient sample that was
20 recruited over the Internet and it was a cross-sectional
21 analysis which, again, because of the limitations, at best
22 can demonstrate correlations but it cannot by its design
23 establish a cause and effect.

24 Q. When you say "convenient sample," could you explain
25 what that term means?

HRUZ - DIRECT

1 A. Certainly. So there are rigorous ways to look at an
2 entire population or a subset of a population that
3 encompasses all of the differences that are present in
4 that group. Those are the best studies. The best studies
5 of those nature in the area of gender dysphoria that I'm
6 aware of are the ones that are done -- that were done in
7 adults in Sweden where they have a probability sample
8 where they have the entire population. So there is no
9 bias in the patients that are being selected to enter into
10 that study.

11 A convenient sample is when you take a subset of
12 patients that are identified by what's called
13 nonprobability, meaning that it doesn't represent the
14 entire population, and enter that base survey where you
15 invite participants to fill out a survey and you advertise
16 that on social media sites that are directed toward people
17 that have one particular desire in the outcome of the
18 study is highly -- has the high potential for bias.

19 It is the recognition of these study limitations,
20 including that convenience sampling methodology, that
21 leads to the designation of these being very low quality
22 evidence in the grade system.

23 Q. Just to sum up that study, in your opinion, does the
24 2022 Green study provide quality, reliable evidence that
25 cross-sex hormones provide benefits to gender dysphoria

1 patients?

2 A. I would say no because of the very serious
3 limitations of that study by design.

4 Q. There are a few studies that the plaintiffs' experts
5 have claimed show benefits of the administration of
6 cross-sex hormones over a longer period of time in the
7 some of the other studies in the literature.

8 So are you familiar with Dr. Turban's study that came
9 out in 2022 earlier this year that was a cross-sectional
10 study comparing mental health outcomes between those who
11 had access to hormones and those who didn't?

12 A. I believe you're referring to the JAMA Open Network
13 paper. So that was -- again, that was a study that I
14 mentioned earlier based upon the 2015 US Transgender
15 Survey with all of the limitations.

16 I'm happy to share even more detail of the
17 limitations in the way that, by its very nature, again,
18 with how the sample population was recruited, that it was
19 retrospective cross-sectional, did not have controls. All
20 of those limitations were present in that study that
21 prevent one from making reliable conclusions about a
22 cause-and-effect relationship between receiving cross-sex
23 hormones and psychological functioning with all of the
24 additional caveats of the data itself that I mentioned
25 earlier.

HRUZ - DIRECT

1 Q. Just make sure I'm asking about the correct study.
2 Did Dr. Turban publish a study earlier this year? I think
3 it was PLOS One. Is that how you say the name of that
4 journal?

5 A. I may have the journal incorrect. I may have the
6 journal incorrect.

7 Q. But the discussion you just had --

8 A. It was in reference to that paper, correct.

9 Q. In your opinion, does that paper provide quality,
10 reliable evidence that cross-sex hormones provide benefits
11 to gender dysphoria patients?

12 A. It does not provide that evidence. So the answer is
13 no.

14 Q. So are you familiar with a 2014 study by de Vries and
15 others that examined puberty blocker access five to seven
16 years over that time period?

17 A. Yes. I'm familiar with that study.

18 Q. What is your assessment of that study?

19 A. So that is a follow-up of that 2011 study where there
20 were initially 70 patients that were followed
21 prospectively after receiving pubertal blockade. The
22 follow-up actually encompassed 55 patients. So there were
23 15 patients that were lost or not included in that
24 secondary analysis. The reason for disqualification is
25 there are many reasons why they couldn't be tracked or

1 they didn't fulfill their criteria for the study.

2 But it has the same limitations that was present in
3 assessing the original 2011 study. It was a very selected
4 patient population that is not representative of the
5 population. It had a predominance of males desiring to
6 appear female rather than females desiring to be a male,
7 which is the predominant effect that we're seeing now
8 presenting to gender clinics. It were -- individuals that
9 had -- ones -- because it took the extension of the
10 original study, it was a selected -- patients where those
11 were excluded from the study that had the psychological
12 comorbidity that was present, so they're higher
13 functioning to begin with. And then throughout the study,
14 they received ongoing psychological support.

15 For the same reasons in the short run, by having a
16 longer study does not obviate the limitations of that
17 study in making a conclusion that can be applied to the
18 gender clinics that are operating here in the United
19 States.

20 Q. So does that 2014 de vries study in your opinion
21 provide reliable, quality evidence that puberty blockers
22 benefit gender dysphoria patients?

23 A. It does not provide the answer that one is seeking as
24 to whether the risk versus benefit of the affirmation
25 approach, including puberty blockers, is warranted. At

HRUZ - DIRECT

1 best, it provides a basis for conducting the proper
2 studies that need to be done to assess -- to answer that
3 question.

4 Q. So the last couple of studies that were discussed by
5 the -- in Dr. Turban's testimony in this case I think had
6 to do with surgery outcomes. Are you familiar with the
7 2018 cross-sectional study by Olson-Kennedy and others
8 that examined top surgery patients?

9 A. Yes, I -- if you're referring to that study, I'm
10 familiar with that study, yes.

11 Q. What's your assessment of the science behind that
12 study?

13 A. Well, there are a number of interesting aspects of
14 that study. Most notably, is that the scale that they
15 used to assess the intervention itself was a novel scale
16 that had never been validated by the lead study author.
17 And there are many reasons why in these types of studies
18 it's important to have that test validation before one
19 uses that and makes conclusions. But it had the -- it was
20 a convenient sample that -- and then the other concern is
21 the length of follow-up.

22 It's important to recognize that, if you are
23 assessing one's level of dysphoria or anxiety or
24 difficulty with the appearance of one's body and there is
25 an expectation that you're going to have benefit by

1 altering the appearance of the body, even before one
2 conducts the experiment, there would be a rationale to
3 believe that that immediate effect would be fulfilled.
4 The question is, does it solve the problem? Does it
5 address the underlying issue at hand that led to the
6 dysphoria? And is the risk of that intervention mitigated
7 by the purported benefits?

8 The length of follow-up was not sufficient to be able
9 to establish that as addressing the problem. It used a
10 sample population that had potential for bias. It was a
11 small sample set. And it used a scale that really had not
12 -- has not been validated in the way that we would for
13 other types of similar types of studies.

14 Q. So your in your opinion, does that 2018 paper provide
15 quality, reliable evidence that top surgery provides
16 benefits to gender dysphoria patients?

17 A. The answer is no because of all of the limitations
18 and the failure to conduct the trial in a way that could
19 answer the question.

20 Q. So are you familiar with the study that came out in
21 May of this year by Tang and others that measured
22 post-surgery regret? It was a Kaiser study. Does that
23 sound familiar?

24 A. Yeah, the Kaiser study. What they were trying to
25 assess in that paper was looking retrospectively through

1 their medical records for those that had had the surgery.

2 So there is a couple of interesting observations from
3 that study. The first, it's quite notable the age of
4 which these patients were identified. The mean age was 16
5 years. There were ten patients that were about 12 to 13
6 years of age that had bilateral mastectomies performed on
7 them, which many now -- this has come out even in the
8 publishing discussion about the concerns about surgical
9 interventions in young children.

10 The timing of follow-up is similar to the
11 Olson-Kennedy study in that the follow-up was a mean about
12 two years, some as short as three months. And, you know,
13 not really assessing the question as to whether they
14 addressed the underlying cause of the dysphoria or whether
15 it provided lasting benefit in way that would justify the
16 risk involved in performing that intervention.

17 Q. So in your opinion, does the study provide quality,
18 reliable evidence that top surgery provides benefits to
19 gender dysphoria patients?

20 A. No. There is not evidence from that study that
21 long-term benefit can be achieved from that intervention.

22 Q. Let's shift gears. Well, I'll wrap up with this. So
23 we've discussed quite number of studies specifically. I
24 think your testimony is -- I won't summarize your
25 testimony.

1 Aside from the studies that we've discussed here
2 today, are you aware of any studies in the literature that
3 in your opinion provide quality, reliable evidence that
4 either puberty blockers or cross-sex hormones provide
5 benefits to gender dysphoria patients, specifically
6 minors?

7 A. Because of the major methodological limitations and
8 weaknesses of the extent published literature in the field
9 of gender dysphoria, one cannot make a conclusion that
10 this is justified as a safe and effective long-term
11 solution to gender dysphoria in consideration of the
12 significant risks and unsubstantiated long-term benefits.

13 Contrary to that, there is a major deficit in even
14 the willingness to address the question of the benefit of
15 psychological intervention, at least as this question is
16 considered within the United States.

17 As I said, there are studies that indirectly give
18 evidence as hypothesis generation that psychological
19 interventions can have significant benefit in this patient
20 population and that the question is, if that alone without
21 embarking upon cross-sex hormones and puberty blockers can
22 achieve the benefit that one is seeking without the risks
23 associated with cardiovascular effects, weight, stature,
24 stroke, and all of the other things -- infertility.

25 I am aware of studies that -- for example, that show

HRUZ - DIRECT

1 that -- even though they're not randomized, that if you
2 compare psychological intervention alone versus puberty
3 blockers and psychological interventions, both groups
4 showed benefit. I'm thinking of a Costa study in
5 particular. It was as small sample. And it's being
6 dismissed because, again, lack of statistical significant,
7 but it is a piece of evidence that needs to be considered
8 in generating novel hypotheses and designing
9 properly-designed studies with controls that can assess
10 that question as to whether psychological intervention is
11 really the basis for which benefit can be achieved in this
12 patient population.

13 Q. You mentioned the Costa study. By the Costa study,
14 are you referring to the 2015 article by Costa and others
15 publishing in the *Journal of Sexual Medicine*?

16 A. That is my recollection. It was directly a study
17 where patients either immediately received puberty
18 blockers or that was delayed and they were followed up
19 over time and assessed psychological function.

20 Q. So you're aware that Dr. Turban served as an expert
21 witness in this case for plaintiffs, correct?

22 A. Yes, I am.

23 Q. Are you familiar with Dr. Turban's work in this
24 field?

25 A. I am very familiar with his work and -- because many

HRUZ - DIRECT

1 of the things that he has stated in conclusions from his
2 paper that others have -- have shared in the media, it's
3 very important to recognize the quality of the research
4 that he has done, the contribution to the field in light
5 of the major weaknesses that we've already discussed.

6 Q. Has there been -- you mentioned weaknesses. Has
7 there been criticism of Dr. Turban's work in this field in
8 terms of research and advocacy?

9 A. There is -- again, as I stated earlier, that the way
10 that science is conducted -- now, one -- when one conducts
11 scientific investigation, it is impossible that bias does
12 not exist. But properly done, one recognizes one's biases
13 and one designs an experiment that allows one to minimize
14 those biases, that one looks at the data objectively with
15 the lens that has been established for the normal conduct
16 of science. That means that one recognizes what is one's
17 starting scientific premises, that foundation that is not
18 provable by science that leads to the generation of a
19 hypothesis about an intervention that may have benefit,
20 and to design an experiment to determine whether that
21 benefit is achieved and in relation to what potential
22 risks may be present.

23 If you look at the way that the studies -- and I will
24 reference not the data itself because one can accept that
25 there was a need early on in the study of a new condition

1 where there is not a demonstrated solution, that the
2 starting point is these lower quality studies to be used
3 and recognized with their limitations and then to take
4 that data and then apply it with more rigorous studies.

5 If you look at the way the papers that Dr. Turban and
6 others have published, by merely reading the conclusions
7 that are made in the paper, that scientific process of
8 disproving the null hypothesis is not what is apparent
9 from that discussion. Rather, by dismissing data that
10 does not correlate with the hypothesis, it is equivalent
11 to beginning with a conclusion and then looking for the
12 data to support that conclusion. That is a vastly
13 different way of doing science and it's a danger to all of
14 science, and one needs to recognize those dangers.

15 So it goes beyond the methodological weaknesses which
16 can be recognized, acknowledged, and accepted to higher
17 levels of concern about the way this is being presented in
18 the media and by the public and most importantly by the
19 patients that are desperately looking for relief of their
20 suffering.

21 A patient that is not aware of these limitations and
22 is being told that we have understood the condition in its
23 evolution and the proper treatment based upon the quality
24 of evidence that is available does not provide the
25 benchmark that we use in all other areas of medicine.

HRUZ - DIRECT

1 Q. So related question to a portion of that discussion,
2 are you familiar with the term clinical equipoise?

3 A. Yes, very familiar.

4 Q. Could you just briefly explain what that term means?

5 A. The discussion of equipoise means that, in order to
6 be able to engage in a scientific investigation, there
7 needs to be a question that remains unanswered. One would
8 not conduct a study if one already knew the outcome,
9 putting patient study subjects at risk, or engaging in the
10 cost and the logistics of doing that study.

11 This is another area that is very important in the
12 discussion of this condition of gender dysphoria, that it
13 is frequently claimed erroneously that one has achieved
14 the answer to the question of how to best address gender
15 dysphoria and, therefore, it is not ethical to conduct the
16 trials that need to be done.

17 On the basis of the limitations that we've already
18 discussed and growing evidence that the patients that have
19 been subjected to this affirmation approach are continuing
20 to suffer, that many of them are recognizing a decade or
21 later that they were not helped in the way that they
22 expected, that our -- our questioning intervention that
23 was done by the level of scrutiny that's occurring in
24 other areas of the world like Europe where the discussion
25 is now gaining attention about the many, many questions

HRUZ - DIRECT

1 that remain. It is erroneous to say that we have
2 identified the best or an effective solution that
3 maximizes benefit and minimizes risk in the alleviation of
4 suffering from gender dysphoria.

5 Quite the contrary. There are major, major questions
6 that remain. There was a lack of attention to alternative
7 hypotheses, different scientific premises, and most
8 notably, a failure to recognize the potential for benefit
9 by psychological interventions. Again, as I mentioned, in
10 Europe they are recognizing this now and are giving
11 priority to the psychological interventions in this
12 patient population recognizing that this is still highly
13 experimental, unproven. At best we can take the evidence
14 to develop novel hypotheses and design the properly -- the
15 proper studies that need to be done.

16 Q. So just to tie this up. In your opinion, is there
17 equipoise with respect to the question of treating gender
18 dysphoric minors with puberty blockers?

19 A. There remains a question as to whether this is a --
20 the risks outweigh the benefits and whether alternatives
21 exist and it still is an area that needs scientific
22 investigation.

23 Q. Maybe a better way to phrase it is that the
24 plaintiffs' experts in this case argue that there is a
25 lack of clinical equipoise as to the use of puberty

1 blockers to treat gender dysphoria.

2 Do you agree or disagree with that assertion?

3 A. I disagree with their conclusion.

4 Q. Same question with cross-sex hormones. Plaintiffs'
5 experts argue that there is a lack of clinical equipoise
6 for the use of cross-sex hormones to treat gender
7 dysphoria.

8 Do you agree or disagree with that statement?

9 A. I disagree with that assertion.

10 Q. So in your opinion, does the state of the current
11 body of scientific literature ethically preclude random
12 controlled trials being conducted concerning the treatment
13 of gender dysphoric minors with puberty blockers?

14 A. We need to do the higher level studies based upon the
15 uncertainties that we have. So that I think to conclude
16 that we don't need to do randomized trials, I disagree
17 with that assertion.

18 Q. Is your answer the same with respect to cross-sex
19 hormones?

20 A. Yes.

21 Q. You mentioned briefly some of the ongoing discussion
22 outside of the United States in Europe. Can you give an
23 overview of what's been happening in that area outside of
24 the United States?

25 A. I most certainly can. I'll just limit my comments to

HRUZ - DIRECT

1 Sweden, Finland, and the United Kingdom, but also
2 recognizing that other European countries that have been
3 engaged in the affirmative model for a longer period of
4 time than in the United States. When these countries
5 looked at the available evidence to truly look at
6 evidence-based recommendations rather than here in the
7 United States where one takes an eminence-based
8 recommendation, meaning using the purported authority of
9 medical societies that don't represent the entire society,
10 but rather small subsets of the entire society, to make
11 conclusions -- when they've actually looked at the data,
12 they have uniformly concluded exactly what I shared with
13 you this morning about the major limitations and
14 weaknesses of the available studies, the fact that this
15 remains an experimental protocol.

16 In fact, Sweden -- the Karolinska Institute in Sweden
17 has suspended delivering affirmative care outside of
18 research studies. In all of these countries, they're now
19 prioritizing psychological interventions. They are
20 acknowledging that more research needs to be done. This
21 is the way that the field of medicine normally operates;
22 that we -- when we're faced with low-quality evidence,
23 many uncertainties and questions, it's not that we don't
24 seek answers to those questions, but we proceed with the
25 utmost of caution. That is what is going on.

1 The most recent -- the Cast review in the UK came to
2 exactly the same conclusions that I have made in my
3 reading of the literature over many years and many of my
4 colleagues and those that have written on this area that,
5 despite the claims that are being made about the efficacy
6 of the affirmation approach, the evidence itself is
7 insufficient to make that conclusion.

8 Q. You mentioned the Cast review. Could you explain
9 what that is?

10 A. So the health system in the UK undertook -- led by
11 Dr. Cast. They actually published two reports NICE, the
12 national health care organization. One on puberty
13 blockers and one on cross-sex hormones. And the
14 conclusions of that study really looking at the available
15 evidence concluded that the data was insufficient to be
16 able to have an answer to the benefit of this approach.

17 It actually followed in step with the publication of
18 their experience, essentially, a follow-up. Many times in
19 science where you have this initial report based upon
20 limited studies, you look to find corroborating results
21 from another study. This was a paper by Carmichael that
22 came out in the UK where, essentially, they were not able
23 to confirm the beneficial effect that was seen in the
24 de Vries study that we discussed earlier. And there are
25 theories or hypotheses as to why the data looks very

1 different in that report versus the original Dutch cohort.
2 And much of that relates to the way that these patients
3 were enrolled in the study and the lack of the same rigor
4 and including the patients in that outcome.

5 So based upon that, the whole delivery of care to
6 individuals that have gender dysphoria is in -- is being
7 reconsidered and reprogrammed to be able to acknowledge
8 what we don't know and to seek to find the answers to what
9 needs to be found within that desire to treat these
10 patients with gender dysphoria.

11 Q. You mentioned the Carmichael paper. Are you
12 referring to the 2021 study that was -- that observed
13 pubertal suppression in 12- to 15-year olds that was
14 published in PLOS One?

15 A. Correct.

16 Q. A few minutes ago you mentioned I think the term that
17 you used was the eminence method or something similar.
18 Could you explain just briefly what you meant by that
19 term?

20 A. So we -- in the field of medicine, we like to make
21 our decisions based upon scientific evidence. There is
22 another way of looking at how to make decisions based upon
23 the prestige or the recognition of an authoritative body.
24 And it's not to say that, if the -- the body that has the
25 prestige is basing their recommendations on quality

HRUZ - DIRECT

1 evidence. It could be trustworthy to accept what is being
2 said. But it's a world of difference to take it on the
3 authority of an organization without looking at the actual
4 evidence. It is repeatedly stated in the conversation on
5 the treatment of gender dysphoria that multiple
6 professional organizations have all concluded that this is
7 the prudent approach. Now --

8 THE COURT: You're going to have to slow him
9 down or I'm going to stop him.

10 MR. JACOBS: I apologize, Your Honor. Let's
11 pause for minute so the court reporter can catch up.

12 THE COURT: That's about the seventh time I've
13 had to ask, and it's not going to work.

14 MR. JACOBS: I apologize, Your Honor.

15 THE COURT: I don't know what else to do.

16 BY MR. JACOBS:

17 Q. You can go on.

18 A. So addressing the question of eminence, meaning
19 accepting the authority of a professional organization, in
20 contrast to evidence looking at the actual data that is
21 used to support the recommendations is vastly different.
22 And having a larger number of eminence-based directives
23 does not obviate the concern with the lack of quality
24 evidence.

25 Q. So when you're -- when we're talking about the

1 eminence method in the United States in the context of
2 gender dysphoria, what are the organizations that people
3 would be referring to?

4 A. Well, the -- they -- the authority of the American
5 Medical Association, the American Academy of Pediatrics,
6 and WPATH are all used to make this eminence-based
7 argument. It goes further that many of the claims that
8 are made is that the -- let's take the example of the
9 American Academy of Pediatrics.

10 One is given the impression that the entire body that
11 belongs to the American Academy of Pediatrics has made
12 that conclusion. What has failed to be recognized that
13 that policy was the generation -- was generated by a very
14 small subset of individuals; that one could question
15 whether they have conflicts of interest; one can question
16 whether they have included representative views from the
17 entire organization; and whether they have in an unbiased
18 way critically evaluated the scientific literature as is
19 now being done in these other European countries.

20 Q. So shifting gears just a little. In a clinical
21 setting, how does a physician go about determining whether
22 recommending a particular treatment to a patient is
23 ethical or unethical?

24 A. So in the area of -- in general in medicine, one
25 always needs to consider the relative risk and the

1 relative benefit of any intervention that we recommend.
2 And the decision about to treat a patient in a particular
3 manner requires assessment of the relative differences.
4 The higher the risk, the higher that one is going to need
5 to have the evidence or the safeguards in place for being
6 -- for embarking upon that intervention. So there are
7 ethical considerations based upon both safety and
8 efficacy.

9 Q. So if a physician, say, is trying to decide whether
10 to begin offering a treatment that he's never offered
11 before, would he have some sort of duty to review or
12 familiarize himself with the relevant scientific
13 literature about that treatment?

14 A. So the answer is yes, that there is an obligation for
15 the clinician to be aware of the intervention that they're
16 proposing and the evidence that's available for that. It
17 is recognized that the volume of literature that has been
18 generated in the field of medicine is growing
19 exponentially; that there are varying -- as we discussed,
20 the quality of the data of varies vastly, and when one
21 draws upon clinical practice guidelines to help inform
22 decisions, it does not take away the responsibility of the
23 practicing physician to recognize the quality of the
24 evidence that is being used, and when things do not go as
25 planned, the treating physician is responsible for that

1 adverse outcome. And one cannot rely upon an uncritical,
2 blind acceptance of policy statements to justify an
3 adverse effect that could have been recognized if one had
4 done due diligence in recognizing the weaknesses of the
5 data.

6 Q. So if the physician is considering offering this new
7 treatment, does review the evidence, and the physician
8 isn't convinced that the treatment is likely to provide
9 benefits to his patients that justify the risks, is it
10 ethical for the physician to begin offering that
11 treatment?

12 A. It is highly unethical, unprofessional, and
13 irresponsible to embark upon a treatment which the
14 treating physician has deemed not justified by a careful
15 assessment of risk and benefit.

16 Q. Is it ever part of your clinical practice to
17 recommend either puberty blockers or cross-sex hormones
18 for gender dysphoria patients?

19 A. I have not administered hormones for that purpose,
20 precisely because my assessment of the available
21 literature and the data that we have does not justify the
22 risk with concerns about benefits. So my assessment of
23 risk and benefit would make it unethical, unprofessional
24 for me to be able to intervene in that way.

25 Q. Are you the only pediatric endocrinologist in the

1 United States who feels this way?

2 A. Most certainly not.

3 Q. Do most pediatric endocrinologists in the United
4 States offer or recommend puberty blockers and cross-sex
5 hormones to treat gender dysphoria?

6 MR. STRANGIO: Objection. I don't think they
7 laid a foundation for his expertise on what all pediatric
8 endocrinologists do.

9 THE COURT: Sustained.

10 MR. JACOBS: I'll withdraw it.

11 THE COURT: I'm sure he can answer all don't
12 because he knows he doesn't, but --

13 MR. JACOBS: I'll withdraw the question and move
14 on to something else.

15 BY MR. JACOBS:

16 Q. So move on to talking about disorders of sexual
17 development. Can you explain what a disorder of sexual
18 development is?

19 A. Yes. Disorders of sexual development are conditions
20 in which infants are born where the normal process of
21 sexual differentiation into male and female primary sexual
22 organs does not occur in a manner that leads to male and
23 female phenotypes types. The best example is, when a
24 child is normally born, by looking at the appearance of
25 the external genitalia, a parent or a physician can

1 correctly identify the sex of that individual 99.98
2 percent of the time by the appearance of the external
3 genitalia.

4 In a very rare subset of individuals, 0.02, percent
5 the answer to the question is that there is ambiguity so
6 that the question cannot be answered.

7 Q. When you say "correctly identify the sex," what do
8 you mean by that?

9 A. Recognizing what we mean by sex in relation to the
10 normal function of males and females in the reproductive
11 process as to having testes versus ovaries, having X and Y
12 chromosomes versus X and X chromosomes, to be able to
13 participate in the reproductive process as either male or
14 female.

15 Q. You mentioned patients with ambiguous genitalia.
16 What type of care do you provide to those patients?

17 A. It's very important, when we're faced with ambiguity,
18 an individual that has a disorder of sexual development,
19 first, to understand, if we can, what is the reason for
20 the ambiguity presenting itself because many of the
21 conditions that lead to the ambiguity are the result of
22 medical conditions that can be life-threatening or have
23 serious effects on later functioning in life.

24 So the role of the endocrinologist and the treating
25 team is to understand what is the sexual anatomy both

HRUZ - DIRECT

1 internal and external, what is the genetic composition,
2 what is the hormone profile of androgens versus estrogens
3 that are present in that individual, and to ascertain what
4 is the best course of treatment to address the condition
5 that led to that ambiguity.

6 Q. Do you ever offer surgical interventions for patients
7 with disorders of sexual development?

8 A. In the routine care of patients that have DSDs, we do
9 indeed. In the newborn period, reserve surgeries for
10 those abnormalities that are going to affect the health of
11 that individual; meaning that, if there is an abnormality
12 in the ability to excrete urine, if there is a connection
13 between the urethra and rectum, things that will cause
14 harm to the patient in that newborn period. We do not
15 perform surgeries to alter the appearance of the genitalia
16 solely for that purpose.

17 Q. When you say "solely for that purpose," what do you
18 mean by that?

19 A. Meaning, in this -- this is the field of those that
20 are involved. And I've been treating children with DSDs
21 for a quarter century, 25 years. When I first trained, it
22 was felt that one needed to make a definitive conclusion
23 about the sex of that individual in the newborn period to
24 allow that child to thrive later in life.

25 We have discarded that approach and opt not to do

HRUZ - DIRECT

1 surgeries to change the appearance of the genitalia
2 because of the many uncertainties about the function of
3 that individual, and do not believe -- or we believe that
4 the most cautious approach is the best approach, to wait
5 before intervening and to gather the information that
6 often will not become apparent until later in life.

7 That has been a change over the last several decades.
8 It's a much more cautious approach than in the past.
9 Quite the opposite is being done in the area of gender
10 dysphoria. But I think for the reasons that we are
11 cautious in recognizing that we don't always know the
12 answer and that we have recognized that there is not --
13 that there is a need to have more time to explore the
14 outcomes over time.

15 THE COURT: Mr. Jacobs, how much longer do you
16 have on your direct?

17 MR. JACOBS: I'm getting to my sum up section,
18 Your Honor. So maybe five minutes, maybe ten if it takes
19 a little bit longer than that.

20 THE COURT: I'm sorry. Your sum up?

21 MR. JACOBS: I'm almost done. Yeah, just
22 concluding the testimony is what I mean.

23 THE COURT: All right. Go ahead.

24 MR. JACOBS: So I apologize if there is a little
25 bit of repetitious in this, but just to get out --

HRUZ - DIRECT

1 THE COURT: I mean, I'm going to have his
2 transcript. I don't need you to summarize what he's told
3 us, if that's what you're planning on doing.

4 MR. JACOBS: I don't think so, Your Honor.

5 BY MR. JACOBS:

6 Q. Based on your review of the available evidence,
7 Dr. Hruz, is there a similar risk/benefit profile for
8 prescribing GnRH agonists to treat precocious puberty in
9 minors and using GnRH agonists to treat gender dysphoria
10 in minors?

11 A. So gender dysphoria and central precocious puberty
12 are different conditions with a different risk/benefit
13 profile, and one cannot conflate those two diseases.

14 Q. As a pediatric endocrinologist, would you consider
15 those two things the same treatment as in GnRH agonist for
16 precocious puberty versus GnRH agonist for gender
17 dysphoria? Would you consider those two things to be the
18 same treatment or different treatments?

19 A. One is treating different conditions with the same
20 class of medication.

21 Q. Based on your review of the available evidence, is
22 there a similar risk/benefit profile for treating delayed
23 puberty in boys with testosterone and providing
24 testosterone to girls for gender transition?

25 THE COURT: Sounds like a summary to me. He's

1 already testified to the last two questions in depth and
2 for hours.

3 MR. JACOBS: If it's clear to Your Honor, that's
4 good enough for me.

5 THE COURT: Thank you.

6 MR. JACOBS: If I can have just one moment, Your
7 Honor.

8 THE COURT: Sure.

9 BY MR. JACOBS:

10 Q. So if I could just go back to clarify one thing.

11 You mentioned the difference in inclusion criteria
12 between the de Vries studies on puberty blockers and the
13 Carmichael study. You mentioned there was a difference.

14 Can you explain exactly what the difference was?

15 MR. STRANGIO: Objection. I think that
16 mischaracterizes the testimony.

17 BY MR. JACOBS:

18 Q. I'll ask this way.

19 Was there a difference in the inclusion criteria for
20 the de Vries studies concerning pubertal blocker and the
21 Carmichael study concerning puberty blockers?

22 A. The difference between the Carmichael study and the
23 de Vries study is that, in the de Vries, there was a much
24 more rigorous stratification and inclusion criteria that
25 was applied to that patient population that has shifted

1 over time. And by the time the Tavistock center began
2 their program, there was not the same degree of attention
3 to the psychiatric comorbidities as far as inclusion or
4 exclusion criteria.

5 MR. JACOBS: Pass the witness Your Honor.

6 THE COURT: We're going to break for lunch until
7 1:30. 1:45.

8 (A recess was taken at 12:18 p.m.)

9 * * * * *

10 REPORTER'S CERTIFICATE

11 I, Valarie D. Flora, FCRR, TX-CSR, AR-CCR, certify
12 that the foregoing is a correct transcript of proceedings
13 in the above-entitled matter.

14 Dated this the 8th day of December, 2022.

15 /s/ Valarie D. Flora, FCRR

16 -----

17 United States Court Reporter

18
19
20
21
22
23
24
25

1 (Proceedings continuing at 2:02 p.m.)

2 THE COURT: We're back on the record.

3 Go ahead, sir.

4 MR. STRANGIO: Thank you.

5 CROSS-EXAMINATION

6 BY MR. STRANGIO:

7 Q. Good afternoon, Dr. Hruz. My name is Chase Strangio. I'm
8 one of the attorneys with the plaintiffs. It's good to see you
9 again. So you are not a psychiatrist. Right?

10 A. That is correct.

11 Q. And you are not a psychologist?

12 A. Correct.

13 Q. And you are not a surgeon?

14 A. Correct.

15 Q. And, as a pediatric endocrinologist, your charge is to
16 treat, as I understand it, hormone-related disease?

17 A. Correct.

18 Q. And you have never diagnosed any person with gender
19 dysphoria. Correct?

20 A. Correct.

21 Q. And you have never treated a patient for gender dysphoria.
22 Correct?

23 A. Not for gender dysphoria, correct.

24 Q. And you currently work at the Washington University in St.
25 Louis. Is that correct?

1 A. I currently work at Washington University, yes.

2 Q. And you are affiliated with the St. Louis Children's
3 Hospital?

4 A. Yes.

5 Q. And the St. Louis Children's Hospital has a transgender
6 center. Is that correct?

7 A. Yes.

8 Q. And, at the transgender center, the doctors treat
9 adolescent patients with gender dysphoria with puberty blockers
10 and cross-sex hormones. Is that correct?

11 A. Yes.

12 Q. And you believe that treatment of gender dysphoria in
13 adolescents with puberty blockers should be limited to the
14 setting of a carefully controlled and supervised clinical trial.
15 Is that correct?

16 A. I believe that it is properly done under the setting of an
17 experimental protocol, yes.

18 Q. And by experimental protocol, you mean a carefully
19 controlled and supervised clinical trial?

20 A. With all of the requirements for rigorous research,
21 undergoing review by an IRB board with all of the safeguards to
22 protect the subjects for that study.

23 Q. And that would be, considering all of those caveats, a
24 carefully controlled and supervised clinical trial?

25 A. There are many ways to design the trial, but it needs to be

1 set up under that paradigm, yes.

2 Q. Okay. And, in your practice, you very frequently prescribe
3 medications off label. Is that correct?

4 A. Yes.

5 Q. And that is a very common practice in the area of
6 pediatrics?

7 A. It is necessitated by the fact that many of the drugs that
8 we use have not been specifically tested in children.

9 Q. So it's a common practice in pediatrics?

10 A. It is a common practice in all of medicine with the caveat
11 that anyone who prescribes off label is required to carefully
12 weigh the relative risks and benefits of using that drug off
13 label, accept the responsibilities for that.

14 Q. Understood. And on direct you testified that you don't do
15 surgeries on infants with disorders of sex development for
16 purpose of changing the appearance of genitals, of their genital
17 characteristics. But you are aware that some doctors in the
18 United States do do that. Right?

19 A. I am very much aware of the conversation that is going on
20 nationally. I would say that as an approach to treating DSDs as
21 a whole that what I shared in my testimony is accurately
22 reflecting what most programs that have DSD programs abide by.

23 Q. But some don't abide by that.

24 A. Well, I don't have knowledge of every program.

25 Q. But some could. But some could do that?

1 A. I have no knowledge.

2 Q. Okay. So you don't know?

3 A. I only know of the programs that operate under the paradigm
4 that I outlined.

5 Q. Understood. Are you familiar with an organization called
6 the Alliance Defending Freedom?

7 A. Yes.

8 Q. And the Alliance Defending Freedom is also known as the
9 ADF. Right?

10 A. Yes.

11 Q. And you traveled to the ADF's Arizona offices in 2017 for a
12 meeting about the types of healthcare at issue in this case.

13 Right?

14 A. I don't recall the specific dates, but I did travel there,
15 yes.

16 Q. And, approximately, would you say that it was in that range
17 of that year?

18 A. Again, I would have to look at the dates. But I did travel
19 to ADF, yes.

20 Q. And you traveled there for two separate meetings at the ADF
21 offices related to the treatment of gender dysphoria in
22 adolescents. Is that correct?

23 A. Yes.

24 Q. And Mark Regnerus was at at least one of the ADF meetings
25 you attended?

1 A. Yes.

2 Q. And so was Dr. Patrick Lappert?

3 A. Yes.

4 Q. I want to just ask you just a few questions about your CV
5 and publications. Just for clarity, it's Defendants' Exhibit 3.
6 So, according to your CV, you published four articles and one
7 book chapter about transgender people and gender dysphoria. Is
8 that right?

9 A. If that's what's listed on my CV, yes.

10 Q. Is there other publications beyond what are listed on your
11 CV?

12 A. There is a recent book that was published that wasn't
13 included on that CV.

14 Q. And what's that book?

15 A. *Sexual Identity*.

16 Q. And the earliest of those was published in 2017. Is that
17 correct?

18 A. I would have to look at the exact date, but that sounds
19 about right.

20 Q. And that first article of yours addressing gender dysphoria
21 was published in *The New Atlantis*. Right?

22 A. Yes.

23 Q. And *The New Atlantis* is not a peer-reviewed scientific
24 journal. Correct?

25 A. It is editorially reviewed.

1 Q. But it's not a peer-reviewed scientific journal. Correct?

2 A. It depends on how you define peer reviewed. It was
3 extensively reviewed by editors in the process of putting this
4 together.

5 Q. When you were describing your publications, you said most
6 of them are published within peer-reviewed top tier journals in
7 your field. Would you consider *The New Atlantis* one of those?

8 A. In the category of *Diabetes* or *Nature Communications*, it is
9 not considered in that same category, no.

10 Q. And you also published two articles about gender dysphoria
11 in *The National Catholic Bioethics Quarterly*. Correct?

12 A. Yes.

13 Q. And *The National Catholic Bioethics Quarterly* is published
14 by the National Catholic Bioethics Center. Right?

15 A. Yes.

16 Q. And those two articles were published in 2018 and 2019 or
17 thereabouts?

18 A. It sounds about right. I would have to look at the dates.

19 Q. But it's probably correctly listed on your CV.

20 A. Correct.

21 Q. And the last of your four articles about gender dysphoria
22 was published in *The Linacre Quarterly*. Is that correct?

23 A. Yes.

24 Q. And *The Linacre Quarterly* is a journal of the Catholic
25 Medical Association. Correct?

1 A. It is -- that is the publisher, yes.

2 Q. And the book chapter that's listed in your CV that you
3 wrote on this topic was published in a book entitled *Transgender*
4 *Issues in Catholic Healthcare* in 2021. Is that correct?

5 A. Yes.

6 Q. And that book was published by the National Catholic
7 Bioethics Center, the same organization that publishes the
8 journal that published two of your articles. Is that correct?

9 A. Yes.

10 Q. And then, in 2017, you received a certification in
11 healthcare ethics from the National Catholic Bioethics Center in
12 Philadelphia, Pennsylvania. Is that correct?

13 A. Yes.

14 Q. And in its brief statement on transgenderism, the National
15 Catholic Bioethics Center that we've been discussing states that
16 "Gender transitioning insists on affirming a false identity and,
17 in many cases, mutilating the body in support of that
18 falsehood." Is that correct?

19 A. I would have to review what they have written.

20 Q. Let's pull up that document to see if it helps refresh your
21 recollection.

22 We will eventually have it on the screen in front of you is
23 my understanding.

24 A. If it saves time, I can just accept that it does indeed say
25 that.

1 Q. Okay. Does that sound consistent with your understanding
2 of their position?

3 A. I don't know what their current position is.

4 Q. Does that sound consistent with -- well, if you want to go
5 to the top, just to confirm, does this look like the brief
6 statement on transgenderism from the National Catholic Bioethics
7 Center?

8 A. That is in the format of their publications, yes.

9 Q. And then I think at the very top, above human anthropology
10 and gender, "Gender transitioning insists on affirming a false
11 identity and, in many cases, mutilating the body in support of
12 that falsehood."

13 A. I read that, yes.

14 Q. And does that sound consistent with your understanding of
15 their position at least in winter of 2016?

16 A. This is what they have written, yes.

17 Q. And do you agree with the statement that gender
18 transitioning affirms a false identity and, in many cases,
19 mutilating the body in support of that falsehood?

20 A. There are many ways to interpret that statement and many of
21 the words that are used. I think the word "mutilation" is
22 appropriate when defined as changing the body in a way that
23 takes away normal functioning. And the question actually as to
24 identity is a very important question that I considered very
25 early on in my investigation of this area. It's the basis of

1 the scientific premise for the affirmative approach. And that's
2 an anthropological question, not a scientific question.

3 Q. Understood. I'm going to move on from that.

4 You've written or joined a friend of the court, or amicus
5 briefs, in several cases in federal courts. Is that correct?

6 A. Yes.

7 Q. In 2017 you joined an amicus brief in a case called *Grimm*
8 *versus Gloucester County* at the United States Supreme Court. Is
9 that correct?

10 A. Yes.

11 Q. And that case, just to refresh your recollection, was an
12 appeal of a lower court's decision that school districts are
13 required by law to treat students in accordance with their
14 asserted gender identity instead of their biological sex. Is
15 that correct?

16 A. That was my understanding, yes.

17 Q. And that brief concluded by saying "that conditioning
18 children into believing that a lifetime of impersonating someone
19 of the opposite sex, achievable only through chemical and
20 surgical interventions, is a form of child abuse." Is that
21 correct?

22 A. I do recall that, yes.

23 Q. And you joined that brief. Correct?

24 A. I did. And I've said previously that I would not have
25 chosen that wording. But to convey the message that was being

1 said in that brief, I represented the assessment at that time.

2 Q. In 2018 you submitted a brief in the case called *Doe versus*
3 *Boyertown* at the United States Supreme Court?

4 A. Yes.

5 Q. And your brief asked the court to review a lower court
6 decision holding that school districts are authorized by law --
7 school districts are authorized by law to treat students in
8 accordance with their asserted gender identity instead of their
9 biological sex?

10 A. That is my recollection, yes.

11 Q. And your brief said that school policies that treat
12 children who experience gender atypical thoughts or behavior as
13 if they belong to the opposite sex would help the child to
14 "maintain his or her delusion but with less distress by, among
15 other aspects, requiring others in the child's life to go along
16 with the charade." Is that what the brief said?

17 A. I am very much aware of word choices and how people have
18 had conversations about being bothered by the language.

19 THE COURT: Doctor, I think the question was is that
20 how the brief was worded?

21 THE WITNESS: Yes.

22 BY MR. STRANGIO:

23 Q. And in 2020 you signed on to an amicus brief in *Meriwether*
24 *versus Hartop*? Do you recall that?

25 A. Yes.

1 Q. And that brief was in support of a professor who objected
2 to Shawnee State's requirement that faculty and staff address
3 students according to the student's preferred form of address,
4 including the use of the student's preferred pronoun? Is that
5 correct?

6 A. As best I can recall at this time, yes.

7 Q. And in that brief, you said the following: "The popular
8 notion regarding, quote, gender identity that says a person has
9 a, quote, boy mind in a girl body is not true. If it is
10 supposed to be taken even more or less literally, it is an idea
11 that should be summarily dismissed."

12 A. That is what it said there. And I'm happy to talk about
13 the science that supports that statement.

14 Q. No, thank you. Just a moment.

15 Just one final question. So you mentioned your book,
16 *Sexual Identity*?

17 A. Correct.

18 Q. And that has a subtitle, "The Harmony of Philosophy,
19 Science and Revelation"?

20 A. Correct.

21 Q. And it was published by Emmaus Road Publishing?

22 A. Emmaus.

23 Q. Excuse me. I'm sorry. Emmaus Road Publishing. Emmaus
24 Road Publishing is a religious publisher. Correct?

25 A. I would have to look. It does publish books on that topic,

1 yes.

2 MR. STRANGIO: I pass the witness.

3 REDIRECT EXAMINATION

4 BY MR. JACOBS:

5 Q. Dr. Hruz, I think you testified you attended a couple of
6 meetings at ADF in 2017. Did I hear that right?

7 A. Yes. Well, two meetings. I don't think they were both in
8 2017.

9 Q. But the first meeting you attended, was that in 2017?

10 A. Again, I don't recall the exact date. I was at two
11 meetings.

12 Q. Is it common throughout your career for you to attend
13 professional conferences with others in the field?

14 A. Yes.

15 Q. And did you begin investigating treatments related to
16 gender dysphoria before or after the first time you attended an
17 ADF meeting?

18 A. Five years prior to that meeting I began my investigation
19 into that, the question of gender dysphoria.

20 Q. The book that you were discussing, *Sexual Identity*, in what
21 capacity did you contribute to that book?

22 A. I wrote one chapter specifically on the topic of the
23 science, so that was my role.

24 THE COURT: On the topic of what?

25 THE WITNESS: The biology of sex.

1 MR. JACOBS: I don't have any further questions, Your
2 Honor.

3 MR. STRANGIO: No further questions.

4 THE COURT: You are free to go, sir.

5 MR. JACOBS: With that, Your Honor, defendants will
6 rest.

7 THE COURT: So, in keeping with what I understood to
8 be a joint request to submit posttrial briefs, for lack of a
9 better summary, have y'all discussed the timing of that?

10 MR. JACOBS: Your Honor, we haven't reached a
11 conclusion as to that. We discussed it a few days prior. But I
12 think, if the Court would like us to, we can confer after, maybe
13 advise via email if we can come to an agreement on scheduling.

14 THE COURT: I only anticipate that y'all would be more
15 informed as to a realistic date than I would, meaning if y'all
16 can agree on one, it would probably be easier on everyone at
17 least at that end of the room. So I don't propose to force feed
18 a date on you unless you just can't come up with one together.

19 MR. JACOBS: I think we'll come up with an agreement.
20 We just haven't had a chance.

21 THE COURT: Fair enough. I don't need it today
22 because I have my mind -- I've handicapped the date in my mind
23 that y'all might come up with, and I'm not going to suggest it
24 for fear that it's wrong. But it wasn't by the end of the week
25 or even the end of next week. If y'all can just let me know

1 when you can agree on what that basically briefing schedule
2 would be, that would be great, so I'll know what to expect.

3 Ms. Cooper?

4 MS. COOPER: I agree. That's fine with us.

5 THE COURT: Suits you?

6 MS. COOPER: Yeah.

7 MR. JACOBS: I don't think we have anything else.

8 THE COURT: We're adjourned.

9 (Proceedings concluded at 2:21 p.m.)

10 REPORTER'S CERTIFICATE

11 I certify that the foregoing is a correct transcript from
12 the record of proceedings in the above-entitled matter.

13 /s/Elaine Hinson, RMR, CRR, CCR Date: December 7, 2022.
14 United States Court Reporter

15

16

17

18

19

20

21

22

23

24

25